

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

UNITED STATES OF AMERICA  
FEDERAL TRADE COMMISSION  
OFFICE OF ADMINISTRATIVE LAW JUDGES

In the Matter of: )  
IMPAX LABORATORIES, INC, )  
a corporation, ) Docket No. 9373  
Respondent. )  
-----)

November 8, 2017  
9:53 a.m.

TRIAL VOLUME 10  
PART 1, PUBLIC RECORD

BEFORE THE HONORABLE D. MICHAEL CHAPPELL  
Chief Administrative Law Judge  
Federal Trade Commission  
600 Pennsylvania Avenue, N.W.  
Washington, D.C.

Reported by: Josett F. Whalen, Court Reporter

1 APPEARANCES:

2

3 ON BEHALF OF THE FEDERAL TRADE COMMISSION:

4 CHARLES A. LOUGHLIN, ESQ.

5 ERIC M. SPRAGUE, ESQ.

6 JAMIE R. TOWEY, ESQ.

7 LAUREN K. PEAY, ESQ.

8 ALPA DAVIS, ESQ.

9 Federal Trade Commission

10 Bureau of Competition

11 Constitution Center

12 400 7th Street, S.W.

13 Washington, D.C. 20024

14 (202) 326-3759

15 cloughlin@ftc.gov

16

17

18

19

20

21

22

23

24

25

1 APPEARANCES: (continued)

2

3 ON BEHALF OF IMPAX LABORATORIES:

4 EDWARD D. HASSI, ESQ.

5 EILEEN M. BROGAN, ESQ.

6 O'Melveny & Myers LLP

7 1625 Eye Street, N.W.

8 Washington, D.C. 20006-4061

9 (202) 383-5300

10 ehassi@omm.com

11 -and-

12 STEPHEN J. McINTYRE, ESQ.

13 O'Melveny & Myers LLP

14 400 South Hope Street

15 18th Floor

16 Los Angeles, California 90071-2899

17 (213) 430-6000

18 smcintyre@omm.com

19

20

21

22

23

24

25

1	FEDERAL TRADE COMMISSION					
2	I N D E X					
3	IN THE MATTER OF IMPAX LABORATORIES, INC.					
4	TRIAL VOLUME 10					
5	PART 1, PUBLIC RECORD					
6	NOVEMBER 8, 2017					
7						
8	WITNESS:	DIRECT	CROSS	REDIRECT	RECROSS	VOIR
9	ADDANKI	2371	2386	2496	2505	
10	COBUZZI	2509	2564	2622	2630	
11	HOXIE	2636				
12						
13						
14	EXHIBITS	FOR ID IN EVID IN CAMERA STRICKEN/REJECTED				
15	CX					
16	(none)					
17						
18	RX					
19	(none)					
20						
21	JX					
22	(none)					
23						
24						
25						

1 P R O C E E D I N G S

2 - - - - -

3 JUDGE CHAPPELL: We're back on the record.  
4 Proceed.

5 MR. McINTYRE: Thank you, Your Honor.

6 - - - - -

7 Whereupon --

8 SUMANTH ADDANKI

9 a witness, called for examination, having been  
10 previously duly sworn, was examined and testified as  
11 follows:

12 DIRECT EXAMINATION (continued)

13 BY MR. McINTYRE:

14 Q. Good morning, Dr. Addanki.

15 A. Good morning.

16 Q. Yesterday you testified about the economic  
17 analysis that you use to assess the competitive effects  
18 of settlements between brand and generic companies, and  
19 as I recall, you testified that the first step in that  
20 analysis is the monopoly power screen. Did I get that  
21 right?

22 A. That's correct.

23 Q. And I believe you also testified that one test  
24 for monopoly power is whether we see an expansion in  
25 output when a generic enters; is that correct?

1       A. Yes. Well, the -- typically we do define the  
2 relevant market and examine competitive conditions in  
3 the relevant market. I testified that on occasion you  
4 do have the natural experiment of observing, if you  
5 believe that generic entry would dissipate monopoly  
6 power, of observing the effects of generic entry and  
7 seeing whether in fact it dissipated monopoly power and  
8 expanded output.

9       Q. And can you remind us why output is important  
10 to look for?

11      A. Because from the economic standpoint, consumer  
12 harm comes about because of a reduction in output  
13 brought about by a monopolist.

14             The harm to consumers comes from the reduction  
15 in output, and so when we see monopoly power being  
16 dissipated, we see an expansion in output.

17      Q. And can you remind us, did you see an expansion  
18 of output in oxymorphone ER when Impax launched its  
19 product in January 2013?

20      A. No, I did not.

21      Q. And I believe you testified yesterday that in  
22 your decades of experience studying the pharmaceutical  
23 industry, you have seen instances where a generic  
24 entrant caused an expansion in output. Did I --

25      A. Certainly -- I beg your pardon.

1 Q. I'm sorry.

2 Did I get that right?

3 A. Certainly that generic entry has been followed  
4 by an expansion in output.

5 Q. And as I recall, yesterday you testified that  
6 if the brand company does not have monopoly power, then  
7 the analysis stops right there; correct?

8 A. That's correct.

9 Q. But if we assume that the brand company does  
10 have monopoly power, then can you please remind us how  
11 the analysis proceeds.

12 A. Well, then you proceed to the second prong of  
13 the analysis, whether you've assumed the monopoly  
14 power or found it to exist, which is to ask whether  
15 the settlement at issue was any less effective at  
16 dissipating completely or partially the monopoly power  
17 that you found or assumed than would have transpired  
18 but for the settlement.

19 So it's really a test of consumer benefits in  
20 two worlds, the world that we actually have with the  
21 settlement that took place and a but-for world where no  
22 settlement happened.

23 Q. And I believe you testified yesterday that the  
24 relevant but-for world is one in which the parties  
25 continue to litigate instead of settling the patent

1 case. Is that right?

2 A. That's correct.

3 And the reason for that is that we have no  
4 reason to believe that any alternative settlement  
5 would actually have been acceptable to the parties.  
6 To hypothesize a settlement and say they would have  
7 agreed to it would be the purest speculation, and so  
8 the only real alternative we have to the settlement  
9 that we have before us is that the parties continue to  
10 litigate.

11 Q. And can you remind us what that but-for world  
12 looks like in this case.

13 A. Well, we can be informed quite a bit about  
14 that but-for world by the events that unfolded  
15 actually in the world as we observed them and from what  
16 we understand about the economic incentives of the  
17 parties, in particular Endo.

18 And what we saw in the actual world was that  
19 Endo continued to acquire patents, both patents that  
20 had been applied for and patents that it acquired from  
21 others, and continued to assert them against ANDA  
22 filers.

23 Q. And yesterday you mentioned the Johnson Matthey  
24 patent.

25 Can you remind us when that patent issued.



1       A. That patent issued at the end of 2010. But  
2 Johnson Matthey had put Endo on notice of that pending  
3 patent in 2009.

4       Q. And Endo in the real world ultimately acquired  
5 that patent; correct?

6       A. It did. In March 2012.

7           JUDGE CHAPPELL: What other world would there  
8 have been?

9           MR. McINTYRE: Huh?

10          JUDGE CHAPPELL: You asked him about the real  
11 world. What other world would there have been?

12          MR. McINTYRE: That's a fair point, Your Honor.

13          JUDGE CHAPPELL: I've heard him say  
14 "actual world." I'm assuming that's the same thing;  
15 right?

16          THE WITNESS: Yes, Your Honor.

17          JUDGE CHAPPELL: Actual world, real world, this  
18 world?

19          THE WITNESS: The actual things that happened,  
20 the events that actually transpired, as opposed to what  
21 we need to really hypothesize as the alternative to the  
22 settlement.

23           BY MR. McINTYRE:

24       Q. And I believe you testified yesterday,  
25 Dr. Addanki, that in your report you assumed, in

1 reliance on Mr. Figg's opinions, that had Impax and  
2 Endo continued to litigate the original patent case to  
3 a final conclusion, that they would not have received a  
4 nonappealable, final judgment until November 2011 at  
5 the earliest. Did I get that right?

6 A. That's correct.

7 Q. And so can you walk us through, beginning with  
8 that point in the but-for world, the issuance of a  
9 Federal Circuit opinion in the patent litigation, how  
10 the but-for world would have played out from that  
11 point.

12 A. Well, again, I just want to remind all of us  
13 that in the actual settlement that we have before us,  
14 Impax and consumers got two things from that  
15 settlement, an entry on a date certain in  
16 January 2013 and a license under future Endo patents,  
17 so I think we need to keep those two mileposts in  
18 mind.

19 In the but-for world, had there not been a  
20 final, nonappealable resolution of the original patent  
21 case until November 2011, I would expect that Endo and  
22 Impax would have been embroiled in continuing patent  
23 litigation from the time of the settlement that we  
24 actually observed for many years after.

25 JUDGE CHAPPELL: Hold on a second.

1           When you say you would expect they would have  
2 been embroiled in continuing patent litigation, is  
3 that an assumption, a prediction, an opinion? What is  
4 that?

5           THE WITNESS: It is an opinion and a  
6 prediction, Your Honor. It is what I would expect as  
7 an economist looking at what Endo actually did, which  
8 was to sue ANDA filers on all the patents that it had  
9 and all the patents it was getting as of when it got  
10 them.

11           And so we talked yesterday about the fact that  
12 the Johnson Matthey patent was actually acquired by  
13 Endo in March 2012. But given that that patent had  
14 issued at the end of 2010, I would expect that without  
15 the alleviation of the urgency that Endo had because of  
16 settling with Impax, Endo would have had a great deal  
17 of urgency to acquire that Johnson Matthey patent when  
18 it issued, and so I would expect as an economist, given  
19 how aggressively Endo was pursuing intellectual  
20 property protection, I would assume that it would have  
21 got that Johnson Matthey patent before the  
22 November 2011 resolution date I was talking about and  
23 proceeded to assert it against Endo -- pardon me --  
24 against Impax just as it did against all the other ANDA  
25 filers.

1           And so that's the basis for my expectation as  
2 an economist and my opinion that this is what would  
3 have happened, that the patent litigation would have  
4 not had any hiatus, it would have continued with new  
5 patents.

6           JUDGE CHAPPELL: So that is an opinion.

7           Is that based on some type of model or is that  
8 based on the facts as you assume them to be?

9           THE WITNESS: It's based on the facts that I  
10 see that Endo -- what Endo actually did, what I can  
11 infer about Endo's strategy from those facts, and what  
12 I would assume as an economist would be Endo's  
13 rational -- what I could infer as an economist would be  
14 Endo's rational strategy to pursue had it not settled  
15 with Impax.

16          JUDGE CHAPPELL: All right.

17          BY MR. McINTYRE:

18         Q. And so, Dr. Addanki, if, as you say, Endo and  
19 Impax would have been tied up in litigation for years  
20 in the but-for world, what does that tell us about  
21 consumer benefits in a but-for world?

22         A. Well, again, if we're assuming monopoly power  
23 and that generic entry would dissipate monopoly power,  
24 an alleviation of the monopoly power and the  
25 alleviation of the consumer harm would only come about

1 with an entry from Impax. And any such entry by Impax  
2 would have been a launch at risk.

3 Q. And what do you mean, that any such entry by  
4 Impax in the but-for world would have been a launch at  
5 risk?

6 A. What I mean is, as long as Impax and Endo  
7 continued to be embroiled in patent litigation, had  
8 Impax launched before resolution of that litigation,  
9 the launch would expose Impax to potential damages in  
10 the form of lost profits in a patent case.

11 Q. And remind us, I believe you testified  
12 yesterday that you have previously testified as an  
13 expert witness on patent damages? Correct?

14 A. On several occasions, yes. And I have written  
15 articles about it and lectured about it.

16 Q. And can you explain to us from an economic  
17 perspective what "lost profit damages" refers to.

18 A. The -- the concept there, Your Honor, is  
19 simply that the damages owed by Impax were it found to  
20 be infringing a patent, Endo's patents in this case,  
21 would be the profit that Endo would have made on each  
22 sale that Impax made in place of Endo.

23 And given that brand manufacturers, as we  
24 discussed yesterday, sell for higher prices than the  
25 generic manufacturers, that means that on every unit

1 and every pill that Impax sold in place of Endo, the  
2 patentee, the lost profit that Endo could claim on  
3 that pill would be greater than the profit that Impax  
4 would actually earn selling that pill, so the exposure  
5 to damages would exceed any profits from the launch.

6 Q. Dr. Addanki, did you assess Impax' economic  
7 incentives and disincentives for launching at risk?

8 A. Yes, I did.

9 Q. And what did you conclude?

10 A. Well, I concluded that it was perfectly  
11 reasonable for Impax to view a launch at risk as a  
12 losing proposition, and that's for two reasons.

13 One is exactly what I just said, which is the  
14 potential profit earned by Impax from the launch would  
15 fall short of the lost profit exposure should it have  
16 been found liable for infringement and liable for  
17 damages.

18 That's exacerbated here by the fact that  
19 Actavis also had a settlement agreement in place, a  
20 preexisting settlement agreement in place, with Endo  
21 which would trigger Actavis' entry upon the expiration  
22 of the 180-day exclusivity that Impax could claim.

23 Once Actavis entered, you would have further  
24 deterioration in Impax' profitability with further  
25 damages occurring to harm Endo, and so that just

1 worsens the picture from the standpoint of the  
2 cost-benefit analysis of the launch.

3           Finally, Impax, just as I mentioned, did have  
4 180-day exclusivity. Now, the thing about that  
5 180-day exclusivity is the clock starts ticking from  
6 the moment of launch.

7           Now, had Impax launched at risk and then  
8 subsequently been enjoined through a PI by a district  
9 court, that clock would not stop, so for the period --  
10 for the remainder of the 180-day period, if Impax were  
11 off the market, Impax would make no sales and no  
12 profits, and in essence Impax will have of forfeited  
13 the 180-day exclusivity period.

14           Given that that's one of the important carrots  
15 that helps induce generic companies to file ANDAs, it  
16 is very important to a generic company not to lose  
17 that 180-day exclusivity. The problem with a launch at  
18 risk is that you put the 180 days in jeopardy, because  
19 if you have a PI, an at-risk PI, you basically lose the  
20 180 days.

21           So for those reasons, it would make complete  
22 economic sense for Impax to view a launch at risk as a  
23 money-losing proposition.

24       Q. Now, assuming that, consistent with these  
25 disincentives, Impax likely would not have launched at

1 risk in the but-for world, how would consumers have  
2 fared?

3       A. Well, again, if Impax would not have launched  
4 at risk but for the settlement, we know that Impax was  
5 entitled to and actually did launch on  
6 January 1, 2013 and that it has remained on the  
7 market since that time.

8             But for the settlement, had there been  
9 continued litigation, as I fully expect there would  
10 have been because of all I've explained so far, and  
11 had Impax not been willing to launch at risk, then  
12 Impax would not have launched at any date before  
13 January 1, 2013, if at all, to date, just based on the  
14 events that have actually occurred in the real world  
15 with the ongoing litigation.

16       Q. And does your opinion depend in any way on how  
17 the patent suits between Endo and Impax would  
18 ultimately have been resolved?

19       A. No. This is simply a question of whether  
20 consumers would have been better off had Impax not  
21 settled with Endo and taking account of the continuing  
22 litigation that Endo engaged in and under the  
23 assumption that Impax would not have launched at risk.

24             It doesn't matter for purposes of my opinion  
25 there whether ultimately Endo would have prevailed in



1 these patent lawsuits or Impax would have prevailed,  
2 because all of those events would unfold after the  
3 dates we're talking about.

4           And just to remind us of the facts of what  
5 happened, in 2016 all generics were enjoined from  
6 selling oxymorphone ER, and today Impax is the only  
7 seller of that product.

8           Q. And so having applied your analysis in this  
9 case, what do you conclude about whether the  
10 Impax-Endo settlement agreement was anticompetitive?

11          A. Well, based on the facts I've analyzed, to  
12 begin with, the correct test is a two-part test, a  
13 screen for monopoly power, and if we assume or find  
14 monopoly power, we proceed to the second part. If we  
15 don't, we can stop the analysis there. The agreement  
16 would not be anticompetitive.

17           If we assume monopoly power, contrary to my  
18 findings and to the facts, the second prong of our  
19 test asks what would have happened to benefit consumers  
20 but for the settlement before us, and I find that there  
21 would not have been entry by Impax, had Impax not been  
22 willing to enter at risk, before January 1, 2013, and  
23 so consumers were made no worse off by the settlement  
24 agreement before us.

25          Q. And again, circling back to the monopoly power

1 screen, what -- can you remind us what your  
2 conclusions are about the relevant market in this  
3 case.

4 A. The relevant market is no smaller than the  
5 market for long-acting opioids, extended-release  
6 long-acting opioids, in the United States. And Endo  
7 had no monopoly power in that market. Opana ER had no  
8 monopoly power in that market.

9 Q. Now, before we wrap up, Dr. Addanki, yesterday  
10 we spent some time discussing Dr. Noll's opinion that  
11 Impax received a large and unjustified payment as of  
12 June 2010 under the Endo credit and no-AG provisions of  
13 the settlement. Do you recall that?

14 A. I do.

15 Q. And I believe you testified that you reviewed  
16 both of -- both the original report and the rebuttal  
17 report that Dr. Noll had submitted in this case?

18 A. I did.

19 Q. Did Dr. Noll conduct any expected value  
20 calculations of the Endo credit and no-AG provisions  
21 either separately or in tandem?

22 A. Dr. Noll did not conduct an expected value  
23 calculation because he acknowledged that there were no  
24 probabilities available to populate such an expected  
25 value calculation.

1 MR. McINTYRE: Your Honor, may I briefly confer  
2 with counsel?

3 JUDGE CHAPPELL: Go ahead.

4 MR. McINTYRE: We have no further questions at  
5 this time.

6 JUDGE CHAPPELL: Any cross?

7 MR. LOUGHLIN: Yes, Your Honor.

8 JUDGE CHAPPELL: Go ahead.

9 MR. LOUGHLIN: Your Honor, may I approach with  
10 a binder for the witness?

11 JUDGE CHAPPELL: Go ahead.

12 Is anybody familiar with the company called  
13 Actavis? And I mean familiar enough to know for  
14 certain whether it's pronounced "Actavis" or "Actavis"?  
15 Anyone?

16 MR. HASSI: Your Honor, Mr. Figg, who testified  
17 yesterday, pronounces it "Actavis," and Actavis has  
18 been a client of his, so I'm assuming he knows how to  
19 pronounce it correctly. That's an assumption based on  
20 an inference that one tries to get one's client's name  
21 right.

22 JUDGE CHAPPELL: That's the best source I've  
23 heard.

24 Go ahead.

25 I have heard three people out of millions say

1 "Actavis."

2 MR. HASSI: I've always said "Actavis." I  
3 heard him say "Actavis," and I know it's a client of  
4 his, so...

5 JUDGE CHAPPELL: Thank you.

6 - - - - -

7 CROSS-EXAMINATION

8 BY MR. LOUGHLIN:

9 Q. Good morning, Dr. Addanki.

10 A. Good morning, Mr. Loughlin.

11 Q. Now, in your report, you discuss what you call  
12 a pure term-split settlement; correct?

13 A. I do.

14 Q. And by "a pure term-split settlement" you mean  
15 a settlement on an entry date without any payment  
16 terms; correct?

17 A. I mean a settlement on an entry date with no  
18 other terms whatsoever.

19 Q. Okay. I mean, there would be some other terms  
20 presumably; right? There would be normal contract  
21 terms, but you mean no terms related to any sort of  
22 payments.

23 A. I mean no terms related to anything other than  
24 whatever you attorneys would need to put in to make an  
25 agreement an agreement, but really no terms of any

1 economic import other than an entry date.

2 Q. Okay. Now, the settlement in this case is not  
3 a pure term-split settlement; correct?

4 A. That's correct.

5 Q. It has a no-AG agreement in it?

6 A. It has various provisions in it, including a  
7 no-AG agreement.

8 Q. It has an Endo credit provision in it?

9 A. That's correct.

10 Q. Now, Dr. Addanki, going into a settlement  
11 negotiation, all else equal, a branded company prefers  
12 later generic entry to earlier generic entry; correct?

13 A. That's correct.

14 Q. And all else equal, a generic would prefer  
15 earlier entry to later entry; correct?

16 A. Yes.

17 Q. Now, I want you to assume, Dr. Addanki, that a  
18 brand and a generic company are in settlement  
19 negotiations, and they cannot agree on an entry date in  
20 a pure term-split settlement. Okay?

21 A. Okay.

22 Q. And that's because the generic wants an earlier  
23 entry date and the brand wants a later entry date.

24 Do you have that?

25 A. Okay.

1 Q. The brand then offers a cash payment to the  
2 generic. Okay? And the parties reach a settlement.  
3 Okay?

4 A. Okay.

5 Q. In that hypothetical, you would assume that the  
6 entry date has moved back towards the brand's later  
7 entry date; correct?

8 A. So if there is nothing known other than they  
9 couldn't reach an agreement on an entry date and -- in  
10 your hypothetical, and the only thing that changes is  
11 that the brand says, I'll pay you some money, you're  
12 asking can we infer that the entry date -- and what do  
13 you mean by "the entry date"? They agreed on an entry  
14 date in your hypothetical.

15 Q. In my hypothetical, yes, after the payment of  
16 cash, the parties now have reached a settlement,  
17 including an entry date.

18 And my question is, we know from those facts  
19 that the entry date has moved back in time towards the  
20 brand's later entry date; correct?

21 A. When you say "moved back in time," I'm not sure  
22 what you mean by "moved back in time" because there was  
23 no entry date before.

24 Q. Okay. Then the entry date has -- the  
25 agreed-upon entry date is now going to be at the

1 brand's later entry date rather than the generic's  
2 earlier entry date; correct?

3 A. Well, by hypothesis, it's a date that the  
4 brand agreed to, right, so it is presumably within  
5 what the brand finds agreeable as an entry date. But  
6 I'm not sure you can call it later than or earlier than  
7 anything, because there is no other entry date on the  
8 table.

9 Q. Okay. Let's do it this way then.

10 A. Okay.

11 Q. We're going to do it the same way we did it in  
12 the deposition. Okay?

13 So we're going to assume that the generic wants  
14 a generic entry date no later than January 1, whatever  
15 year you want to pick. Okay?

16 A. Okay.

17 Q. The brand wants generic entry no earlier than  
18 June 1 --

19 A. Okay.

20 Q. -- whatever year -- the same year.

21 Do you have that?

22 A. Okay.

23 Q. The brand now -- and they can't settle, okay,  
24 under those terms.

25 A. Right.

1 Q. The brand now makes a cash payment to the  
2 generic. Okay?

3 A. Okay.

4 Q. And they reach a settlement.

5 A. Okay.

6 Q. The entry date is going to be June 1 or just  
7 about June 1; correct?

8 A. It's your hypothetical. I don't know. If you  
9 tell me it's June 1, okay, it's June 1.

10 Q. I'm not asking -- I'm not stating that as a  
11 hypothetical.

12 I'm stating that you can infer and you know as  
13 an economist that when I tell you they settled, the  
14 entry date that you're going to expect is going to be  
15 June 1; correct?

16 A. Well, it has to be agreeable to the brand,  
17 that's correct.

18 Q. The brand wouldn't -- it's going to be -- it  
19 has to be acceptable to the brand, it was not  
20 acceptable to the generic, but now it's acceptable to  
21 both parties; right?

22 A. So, again, when you have the fact that parties  
23 didn't agree, right, you have the fact the parties  
24 didn't agree. I don't think you can infer anything  
25 about what either party's reservation date was from the



1 fact that they didn't agree. They didn't agree.

2 Parties do all sorts of things in negotiation.

3 They've got postures.

4 So I don't think you can infer what someone's  
5 true reservation date was from a negotiation posture in  
6 a settlement negotiation. But in a hypothetical you  
7 can assume anything you like.

8 Q. Okay. And this is a hypothetical.

9 A. Right.

10 Q. Okay? Can you follow a hypothetical, sir?

11 A. Sure.

12 JUDGE CHAPPELL: I'm trying to follow your  
13 hypothetical also.

14 MR. LOUGHLIN: Great.

15 JUDGE CHAPPELL: And the way you presented it,  
16 you gave the witness two possible dates.

17 MR. LOUGHLIN: That's right.

18 JUDGE CHAPPELL: You told him to assume a cash  
19 payment.

20 MR. LOUGHLIN: Right.

21 JUDGE CHAPPELL: So if I'm following your  
22 hypothetical correctly, you're giving the witness only  
23 two possible choices, one date or the other date.

24 MR. LOUGHLIN: That's not -- I'll be clearer.

25 JUDGE CHAPPELL: All right.

1 BY MR. LOUGHLIN:

2 Q. Here's my hypothetical.

3 A. Okay.

4 Q. Going into the negotiation, the generic wants  
5 to come in no later than January 1.

6 A. So you're asking me to assume that we know  
7 that.

8 Q. We know it.

9 A. We know it. Okay.

10 Q. Okay?

11 The brand does not want generic entry to occur  
12 before June 1. We know it.

13 A. And again, that's something we can know what's  
14 the actual -- and that's called a reservation date,  
15 Your Honor. We know the actual reservation date for  
16 both parties.

17 Q. Under those --

18 (Counsel and witness speaking at the same time  
19 and cautioned by court reporter.)

20 BY MR. LOUGHLIN:

21 Q. Under that situation, there will not be a pure  
22 term-split settlement; correct?

23 A. That's correct.

24 Q. But under my hypothetical, now, the brand makes  
25 a cash payment to the generic. Okay?

1 A. Okay.

2 Q. And they reach a settlement. Okay?

3 A. Okay.

4 Q. You know, as an economist, that the entry date  
5 they will have agreed upon will be the brand's entry  
6 date of June 1; correct?

7 A. So if we know what the generic wants and we  
8 know what the brand wants, and you tell me that a  
9 payment made a settlement possible, then yes, I would  
10 say that both parties had to have agreed to it, and  
11 because you told me to assume that the brand would  
12 settle for nothing earlier than June 1, I would have to  
13 agree that it would be June 1.

14 Q. And the same is true if I change my  
15 hypothetical to, instead of a cash payment, now there's  
16 a no-AG provision; correct?

17 A. Oh, I don't know about that. I think that  
18 depends a lot on how a no-AG provision is valued.

19 JUDGE CHAPPELL: I'm not sure I understood  
20 your question. He answered it, but were you saying --  
21 was your question, is the same true if there is no-AG  
22 agreement? That's not what I heard. Is that what you  
23 were asking? The same is true if there is no-AG  
24 agreement?

25 MR. LOUGHLIN: Rather than a cash payment,

1 there's a no-AG provision. I'll state the hypothetical  
2 differently.

3 JUDGE CHAPPELL: Is that what you understood?

4 THE WITNESS: That's what I understood his  
5 question to mean, sir.

6 JUDGE CHAPPELL: All right.

7 BY MR. LOUGHLIN:

8 Q. I'll restate it just so the record is clear.

9 A. Okay.

10 Q. We're going to assume that the parties are in a  
11 settlement negotiation, the generic wants to come in no  
12 later than January 1. Okay?

13 A. I'm listening. Yes.

14 Q. The brand does not want the generic to come in  
15 any earlier than June 1; correct?

16 A. Okay.

17 Q. Okay?

18 They can't reach a pure term-split settlement;  
19 right?

20 A. Well, they can't -- based on the assumptions  
21 you've asked me to make, they can't, that's correct.

22 Q. Now, I'm telling you that the brand offers a  
23 no-AG provision and they settle. Okay?

24 Do you have that in mind?

25 A. Okay.

1 Q. You would expect --

2 JUDGE CHAPPELL: Hold on, hold on.

3 Just so I'm following this, there's no cash  
4 being offered now; correct?

5 MR. LOUGHLIN: Rather than cash -- yes,  
6 Your Honor. Rather than cash, there's a no-AG  
7 provision.

8 JUDGE CHAPPELL: Okay. I didn't hear that  
9 part.

10 Go ahead.

11 BY MR. LOUGHLIN:

12 Q. And the parties settle, so now there's an  
13 agreement with an entry date and a no-AG provision.  
14 Okay?

15 A. Okay.

16 Q. You would expect that the entry date is the  
17 brand's entry date of June 1; correct?

18 A. So I really have no idea of what you can  
19 assume there, because with a cash payment I can say,  
20 you tell me there's a cash payment, that's something  
21 that is incontrovertible. It's money. It got paid.

22 A no-AG agreement has uncertain value, so if  
23 you say that's what caused there to be a settlement and  
24 you make me -- have me make that additional assumption,  
25 that the no-AG provision caused them to be able to

1 settle, right, and that's what you're telling me, well,  
2 then if they settled, it had to be a date agreeable to  
3 both parties. And if it was a date agreeable to both  
4 parties, I have to assume that it was somewhere for  
5 some reason at a point where both would agree to. But  
6 not knowing what the value of the no-AG agreement is,  
7 if at all, I'm stuck sort of having to make  
8 assumptions about what might have happened in your  
9 hypothetical.

10 Q. Yes. I understand I'm asking you to make  
11 assumptions, and based -- your economic assumption  
12 would be that if there's a -- they couldn't settle  
13 before, now there's a no-AG added and they settle, the  
14 entry date is going to be June 1; correct?

15 A. That would -- I think that would follow,  
16 although I'm still a little troubled by the fact that  
17 we don't know if any value changed hands in your no-AG  
18 agreement.

19 JUDGE CHAPPELL: I'm trying -- I'm not an  
20 economist, but I'm trying to follow your hypothetical.  
21 And it sounded to me like you're offering only two  
22 possible dates. Why -- and is it because he's an  
23 economist that there's no middle date possible in this  
24 scenario in this hypothetical? Because that's not  
25 adding up for me.

1 MR. LOUGHLIN: Your Honor --

2 JUDGE CHAPPELL: You're giving him two  
3 dates --

4 MR. LOUGHLIN: Right.

5 JUDGE CHAPPELL: -- and making him choose one  
6 or the other, if I'm following this, and you're saying  
7 "as an economist." Is there something about economic  
8 theory that says there can't be a date somewhere in the  
9 middle in your hypothetical or in --

10 MR. LOUGHLIN: Yes, there is.

11 JUDGE CHAPPELL: -- in actuality?

12 MR. LOUGHLIN: Yes, there is, Your Honor.

13 The brand and the generic have different  
14 reservation points. That's why they can't reach a  
15 settlement. But when you add value, suddenly they can  
16 reach a settlement, and the settlement is going -- the  
17 point is that settlement is going to be at the brand's  
18 later date.

19 The brand is not going to pay money and give up  
20 an earlier entry date. The brand is going to pay money  
21 and get a later entry date from the generic.

22 JUDGE CHAPPELL: All right. That's fine. I  
23 just didn't hear you say that only two dates were  
24 possible, but if that's what you're saying, then I  
25 follow it.

1 MR. LOUGHLIN: In my hypothetical, that's what  
2 I'm saying.

3 JUDGE CHAPPELL: All right. Thank you.  
4 I'm not the witness, but I'm going to be  
5 reading the record trying to make sense of the  
6 hypothetical and the answer.

7 MR. LOUGHLIN: No. I appreciate that,  
8 Your Honor. I want it to make sense and I appreciate  
9 your questions.

10 BY MR. LOUGHLIN:

11 Q. Now, Dr. Addanki, I want to go back to my  
12 hypothetical. Okay?

13 Again, we're assuming that the generic in the  
14 settlement negotiation does not want to and will not  
15 accept an entry date later than January 1. Okay?

16 A. Okay.

17 Q. And the brand will not accept generic entry  
18 earlier than June 1; correct?

19 A. Okay.

20 Q. And so under that scenario, there will not be a  
21 pure term-split settlement; correct?

22 A. If we know that the latest the entry -- latest  
23 entry date the generic would accept is January 1 and  
24 the earliest entry date the brand would accept is  
25 June 1 and we actually know that, then I would not



1 expect to see a settlement.

2 Q. Okay. And then the brand provides some other  
3 form of value, net value, going to the generic. It  
4 doesn't matter what it is, whether it's a no-AG,  
5 whether it's cash or something else. There's net  
6 value from the brand to the generic, and they settle.  
7 Okay?

8 A. Okay.

9 Q. As an economist, you know the settlement entry  
10 date that they're going to agree on is the brand's  
11 June 1 date; correct?

12 A. No. No, you don't. Because you don't know  
13 what value the other terms may have conferred on the  
14 brand.

15 Q. Yes, but I'm -- in my hypothetical, the net  
16 value is going from the brand to the generic. Okay?  
17 Do you have that? And that allows there to be a  
18 settlement. Okay?

19 A. Well, there could be value going from the  
20 brand to the generic, but that doesn't mean there  
21 isn't value that could be accruing to the brand, not  
22 as a payment from the generic, but from whatever other  
23 terms they've entered into.

24 Q. In my hypothetical, the net of the value is  
25 going only to the generic. Okay?

1 Do you understand that?

2 A. Well, the point about net is you're netting --  
3 you can only net things where they're opposite flows  
4 between the same points. That's a net, right. But if  
5 the brand is realizing value that is not coming out of  
6 the generic, then I don't think you can make any  
7 conclusions about where the date is going to end up.

8 Q. Okay. That's not part of my hypothetical, that  
9 the brand is getting value outside of the generic.  
10 That's not in my hypothetical. Okay?

11 In my hypothetical, there are two entry dates.  
12 The brand has a June 1 entry date. The generic has a  
13 January 1 entry date. Right?

14 A. You're talking about their reservation dates.

15 Q. Their reservation dates.

16 A. Okay.

17 Q. And now, I'm telling you they can't -- and they  
18 can't settle; right?

19 A. Right.

20 Q. And now I'm telling you that they do settle  
21 with an agreement where there is value, in whatever  
22 form, flowing from the brand to the generic. Okay?

23 I'm not talking about whether the brand is  
24 getting some value from outside the settlement.  
25 Within the context of the settlement, the value is

1 flowing in the direction from the brand to the  
2 generic. Okay?

3       A. Look, if you're asking me to assume that  
4 whatever payment terms that you're not specifying or  
5 whatever contract terms that you're not specifying do  
6 not create any value for the brand, not coming from the  
7 generic, I can assume that, but if you don't specify  
8 that, then it's perfectly possible, because it's  
9 certainly within my experience that when companies  
10 settle, often they try to find things that they can  
11 agree on which generate mutual value in order to break  
12 the logjam and settle. And this is just from my  
13 experience of three decades of patent cases.

14             But if you ask me to assume that that is not  
15 possible in your hypothetical, that it's essentially  
16 the same as a payment, you're asking me to assume that  
17 they wrote a check, they had contract terms, but they  
18 wrote a check, right, then okay, then we're back to  
19 your first hypothetical.

20       Q. And in that world, you would expect the entry  
21 date would be the brand's June 1 entry date; correct?

22       A. Again, under the circumstance of your  
23 hypothetical, if we know that January 1 is the  
24 drop-dead date for the generic and June 1 is the  
25 drop-dead date for the brand, we would not expect them

1 to settle. And then if you then tell me that the brand  
2 wrote a check to the generic, because that's what  
3 you're asking me to assume, and that they settled and  
4 ask me what the date is, yes, I would expect it would  
5 be June 1.

6 Q. Now, Dr. Addanki, if the branded product has  
7 monopoly power --

8 A. Yes.

9 Q. -- as you use that phrase in your report --

10 A. Yes.

11 Q. -- it can afford to pay some of its expected  
12 profit to the generic to push back the entry date and  
13 still be better off than the earlier generic entry;  
14 correct?

15 A. You're asking whether the brand can give up  
16 some monopoly profit, if it has monopoly power, to  
17 induce the generic to enter later? Is that what you're  
18 asking?

19 Q. Yes.

20 A. As a party to a contract, if you write a  
21 check, you write a check. It doesn't much matter  
22 where that money is coming from. If you're writing a  
23 check, you're writing a check. If you're willing to  
24 write the check, you're willing to write the check.

25 So I'm not sure if I understand your question.

1 Q. Here's the question.

2 A. Okay.

3 Q. The brand can afford to pay some of its  
4 expected profit to the generic to push back the entry  
5 date, correct, and still would be better off than  
6 earlier generic entry?

7 JUDGE CHAPPELL: The question is "can afford  
8 to." That's what he said, "can afford to."

9 THE WITNESS: Can afford to -- are you asking  
10 because it has monopoly power?

11 BY MR. LOUGHLIN:

12 Q. Yes. I'm assuming that the brand has monopoly  
13 power.

14 A. If the brand has monopoly profits, it can do  
15 whatever it wants with that profits, and among those  
16 things could be to pay a check to someone else, yes.

17 Q. It would be paying some of those monopoly  
18 profits to push back the entry date of the generic  
19 entry; correct -- in my question; correct?

20 A. I don't know. It's your question.

21 Q. Okay. I'll ask it again.

22 A. Okay.

23 Q. The brand can afford to pay some of its  
24 expected profits to the generic to push back the entry  
25 date, correct, and still would be better off than with

1 earlier generic entry?

2       A.   Okay.  If you're asking, when there's generic  
3 entry, does the brand lose more profit than the  
4 generic earns, that's correct.  I've just explained  
5 that, and that's exactly how it works.  That's  
6 correct.

7           And that really doesn't depend on monopoly  
8 power.  That's just true.

9       Q.  Why don't you take a look in your binder at  
10 your deposition.

11       A.  Okay.

12       Q.  And page 12 of your deposition.

13       A.  Okay.

14           Which is that tab?

15       Q.  I think it's the tab that says "DEP" on it.  
16 It's the last one.

17       A.  Oh, the last one.  Okay.  Oh, yes.  Okay.

18       Q.  And I'm going to direct you to line 11 on  
19 page 12, and you'll see there I asked you, "And the  
20 brand can afford to pay some of its expected profit to  
21 the generic to push back the entry date, correct, and  
22 still would be better off than with earlier generic  
23 industry?"

24           "ANSWER:  Again, if you're talking about a  
25 situation in which you've established monopoly power

1 and you're saying that the brand earns monopoly  
2 profits, then all else equal, if you're talking about  
3 the difference between monopoly profits and duopoly  
4 profits and that is the situation in which you find  
5 yourself, then yes, it's possible that the brand could  
6 pay a portion of safe monopoly profits to a generic."

7       A. Yeah, that's certainly not what I said, because  
8 I don't even understand what safe monopoly profits are.  
9 But it is certainly consistent with what I've just  
10 replied to your answer -- to your question a few  
11 minutes ago.

12           If you do have monopoly profits, then there is  
13 a difference between monopoly and duopoly profits. And  
14 I just made the further point that anytime a generic  
15 takes a sale from a brand, because it makes a lower  
16 profit per unit, the brand will lose more profit than  
17 the generic earns. It's true.

18       Q. But my question was, and the brand can afford  
19 to pay some of that to the generic to push back the  
20 entry date; correct?

21           JUDGE CHAPPELL: Hold on.

22           You understand that before you went to the  
23 deposition, you asked him a question --

24           MR. LOUGHLIN: Yes.

25           JUDGE CHAPPELL: -- and his answer said,

1 "That's just true." So if the pending question and he  
2 says that's true, why are you going to the deposition?  
3 He just answered your question, "That's just true."

4 MR. LOUGHLIN: Because I don't think he did  
5 answer my question. He gave a long preamble that said  
6 something different from what he said in the  
7 deposition.

8 JUDGE CHAPPELL: Well, regardless of that, I  
9 see "That's just true," so how is that not agreement?

10 MR. LOUGHLIN: Maybe it is, Your Honor, but I  
11 heard him answering his own question as opposed to my  
12 question. And I'm not sure I still got an answer to my  
13 question, that the brand --

14 JUDGE CHAPPELL: The last answer was: "It's  
15 true."

16 Go ahead.

17 MR. LOUGHLIN: Right.

18 BY MR. LOUGHLIN:

19 Q. My question was, Dr. Addanki, not simply that  
20 there's a difference between monopoly and duopoly  
21 profits but that the brand can afford to pay some of  
22 its expected profit to the generic to push back the  
23 entry date and still be better off; correct?

24 A. And as I had said, it is certainly true that  
25 when the brand has monopoly power, its monopoly



1 profits will be greater than the combined profits in  
2 duopoly, and so yes, it can pay some profit to the  
3 generic. But I've mentioned that it's also true  
4 without monopoly power because the brand will always  
5 earn a greater profit per unit than the generic.

6 Q. Now, Dr. Addanki, in your report, you discuss  
7 scenarios where parties may not be able to reach what  
8 you term a pure term-split settlement; correct?

9 A. I'm sorry. I discuss what?

10 Q. You discuss various scenarios --

11 A. Various scenarios, yes.

12 Q. -- where the parties to a settlement  
13 negotiation may not be able to reach a pure term-split  
14 settlement. Do you recall that?

15 A. Yes. I discuss -- I make the point that a  
16 pure term-split settlement may not be feasible, and I  
17 point out various economic reasons why without  
18 intending in any sense to exhaust all of the reasons  
19 why.

20 Q. And one of the reasons that you describe or  
21 one of the scenarios you describe is that a brand and a  
22 generic may not be able to reach a pure term-split  
23 settlement when the brand plans to introduce a new  
24 product that's going to replace its current product on  
25 the market; correct?

1 A. Yes.

2 Q. And that type of scenario can affect each  
3 party's preferred entry dates; right?

4 A. Yes.

5 Q. And that's because the brand's profits depend  
6 on whether generic entry occurs before or after the new  
7 product launch; right?

8 A. That's correct.

9 Q. In other words, if a patentee introduces a new  
10 product before the generic can enter, the prescriptions  
11 would get shifted from the original product to the new  
12 product; correct?

13 A. Well, if the patentee expects that  
14 prescriptions will get shifted from the original  
15 product to the new product, and indeed the new product  
16 is intended as a replacement for the original product,  
17 and the patentee believes that it can move those  
18 prescriptions for whatever reason, the product quality  
19 or what have you, then yes, that is exactly right.

20 Q. And if the brand is successful in shifting  
21 prescriptions from the current product to the new  
22 replacement product, that leaves fewer prescriptions of  
23 the original product that can be substituted by the  
24 generic; correct?

25 A. Are you talking now about what is anticipated

1 or what is -- what occurs?

2 Q. What is anticipated.

3 A. In other words, if in the anticipation of the  
4 brand it is able to move those prescriptions -- well,  
5 the point is not so much what the generic is doing.  
6 The point is what is the brand doing. In other words,  
7 the brand is making sales that do not face generic  
8 competition. That's correct.

9 Q. And from the generic's perspective, there are  
10 going to -- it expects that there are going to be  
11 fewer prescriptions available for its product, its  
12 AB-rated generic product, because the brand will have  
13 shifted the market to the new product; correct?

14 A. But now we're talking about the generic's  
15 expectations, so if the generic expects that the brand  
16 will be able to move prescriptions before the generic  
17 enters, then there will be fewer prescriptions for the  
18 generic to be able to be substituted for.

19 Q. And that expectation on behalf of both the  
20 brand and the generic creates further diversion  
21 between the entry dates that the generic would be  
22 willing to agree to and the dates that the brand would  
23 be willing to agree to; correct?

24 A. What do you mean by "further"?

25 Q. There would be -- well, I'll get rid of the

1 word "further." Okay? And I'm discussing the scenario  
2 you discuss in your report.

3           And the point of your scenario in the report  
4 is that those differences in expectations about what's  
5 going to happen with a new product creates a divergence  
6 in the acceptable entry dates for the brand and the  
7 generic; correct?

8       A. I've explained in my report that it can.  
9 That's correct.

10       Q. And what you mean by that is the brand again  
11 wants later generic entry; correct?

12       A. Well, we've established I think at the outset  
13 that a brand wants later generic entry and the generic  
14 wants earlier generic entry. That's generally true.

15       Q. Right.

16           And in the scenario that you lay out in your  
17 report regarding the new -- the potential new  
18 reformulated product, again, the brand wants even  
19 later generic entry so that it has time to get its  
20 product on the market before generic entry; correct?

21       A. The point I made in the report was fairly  
22 straightforward, and we can go to the pages in the  
23 report, if that's helpful.

24           The point I made in the report was simply that  
25 among the factors that can make it impossible, as an

1 economic matter, for a brand and a generic to agree on  
2 a pure term-split settlement is the prospect that the  
3 brand might introduce a new product that would  
4 supplant or replace the product for which the generic  
5 manufacturer has an ANDA. And I explained that. And  
6 it's just one of the ways in which the brand and  
7 generic may find themselves unable to reach an  
8 agreement, even if all the other stars aligned, was the  
9 point I was making there.

10 Q. And by all the other stars aligning, you  
11 include the fact that the parties may have exactly the  
12 same views of the merits of the patent litigation;  
13 correct?

14 A. Yes. That contrary to my experience and  
15 common sense, that parties actually would have  
16 identical views over what's going to happen in a patent  
17 lawsuit, yes.

18 Q. But we're just talking about what's in your  
19 report; correct?

20 A. That's right.

21 Q. Now, in that scenario where the parties agree  
22 on the patent merits but still cannot agree on a pure  
23 term-split settlement because of this expectation of a  
24 new product being launched, you would expect that a  
25 payment from the brand to the generic could cause a

1 settlement, and if it does, the entry date will move to  
2 the brand's later expected entry date; right?

3       A. As I explained in my report and I explained at  
4 length in my deposition, the problem for both the brand  
5 and the generic -- and this infuses all of my  
6 discussion of how to analyze these settlements and  
7 what's feasible -- the problem facing both of them is  
8 there is so much intrinsic uncertainty about the  
9 future, and if you settle, you're agreeing to a course  
10 of action which is going to expose you to uncertainty.

11           And I had mentioned that the prospect of a  
12 product reformulation was one such source of  
13 uncertainty, particularly acute for the generic  
14 because it knows or should know from the economic  
15 perspective that it doesn't know anywhere near as much  
16 as the brand knows about what those plans are.

17           And I had explained in my deposition -- and I  
18 think the report is entirely consistent with that --  
19 that it's the mitigation of uncertainty that is really  
20 much more important than anything else, and so both  
21 sides may be looking for contractual provisions that  
22 would help mitigate uncertainty attendant upon product  
23 reformulation, upon other things, but that's the core  
24 of what can bridge the gap when a settlement cannot be  
25 reached otherwise. And this is one of those

1 situations.

2 Q. I'm sorry. What is the core that can bridge  
3 the gap when a settlement cannot be reached otherwise?

4 A. The mitigation of uncertainty.

5 Q. And how do they mitigate uncertainty?

6 A. Well, whatever contractual provisions they get  
7 into that mitigate uncertainty can certainly help  
8 bridge a gap. And I certainly view the Endo credit  
9 provision here as a provision that, from the economic  
10 standpoint, is helping mitigate uncertainty.

11 Q. My question, Dr. Addanki, was, if the parties  
12 in the scenario of a reformulation, potential  
13 reformulation, cannot reach a pure term-split  
14 settlement, okay, because they have different  
15 reservation dates, and then the brand pays cash to the  
16 generic, you would expect -- and then they settle,  
17 okay, you would expect, just like we talked about  
18 before, that the agreed-upon entry date is going to  
19 move to the brand's reservation date; correct?

20 A. As a general matter, your very first  
21 hypothetical really encompasses all of these in the  
22 sense that if you say by assumption we know that the  
23 generic's entry date, the drop-dead date for the  
24 generic, is January 1 in your example, and the brand's  
25 drop-dead date is June 1, and the brand writes the

1 generic a check and they settle, the question you  
2 asked then about that hypothetical as to whether that  
3 entry date would be June 1 and I answered yes, it  
4 really is the same answer to the question you're just  
5 asking.

6           If there's a divergence of entry dates and we  
7 assume that to be true and then you would tell me to  
8 assume that there was a payment and a settlement and  
9 ask me what the date is, the answer will be the same.

10           But if you take it out of the realm of the  
11 payment, then I say, well, it depends on what the terms  
12 are because the key to reaching settlement is  
13 mitigating uncertainty.

14       Q. Do I understand that the answer to my question  
15 is yes?

16       A. The answer to your question is it's no  
17 different from your first hypothetical, if that's what  
18 your hypothetical is.

19       Q. Dr. Addanki, if the answer to my question is  
20 yes, you're free to say "yes." Okay?

21       A. I guess what I'm trying to explain to you and  
22 to the court is that it doesn't much matter what  
23 causes a divergence that results in an inability to  
24 reach a term-split settlement. If you ask me to assume  
25 that we know what the reservation dates are and further



1 ask me to assume that a payment engendered a  
2 settlement, then the outcome is pretty clear.

3 Q. Okay. And what I'm telling you, Dr. Addanki,  
4 is that if I ask a yes-or-no question, you can say  
5 "yes" or you can say "no." You don't have to give a  
6 long explanation. You can just answer my question.  
7 Okay?

8 A. I understand that. But when the hypotheticals  
9 are complicated, I think it's worth explaining them.

10 Q. Now, in developing your economic framework in  
11 this matter, you did not consider the current legal  
12 standard; correct?

13 A. I'm an economist. I'm really not a lawyer of  
14 any kind.

15 Q. Is that --

16 A. I did not consider legal standards, no.

17 Q. And your economic framework is --

18 A. I'm sorry. Excuse me. I should amend that  
19 answer a little bit.

20 I'm generally aware of an analysis under the  
21 rule of reason, and that is the extent of the guidance,  
22 of the legal guidance to my analysis, so I think that's  
23 the more complete answer.

24 Q. Okay. So is the answer then, in developing  
25 your economic framework in this matter, you did

1 consider the current legal standard or not?

2       A. I have been guided -- to the extent I've been  
3 guided by the law, it has been that I understand what  
4 it means to do a rule of reason analysis. But beyond  
5 that, I have not paid attention to the ins and outs of  
6 the jurisprudence on these line of cases.

7           JUDGE CHAPPELL: Just so the record is clear,  
8 what do you mean by "the legal standard"? Standard for  
9 what?

10          MR. LOUGHLIN: The legal standard governing  
11 reverse payment settlement cases.

12          JUDGE CHAPPELL: Well, that wasn't spoken by  
13 you. I'm trying to figure out what you mean by  
14 "the legal standard."

15          MR. LOUGHLIN: Okay. Well, thank you for  
16 that --

17          JUDGE CHAPPELL: And I don't know if the  
18 witness understands or not. I don't like having  
19 assumptions in the record.

20          MR. LOUGHLIN: I appreciate that, Your Honor.

21          BY MR. LOUGHLIN:

22       Q. Dr. Addanki, I'll ask it again in a more  
23 complete way.

24           In developing your economic framework in this  
25 matter, did you consider the current legal standard

1 governing reverse payment settlements?

2 A. I've not been guided by legal jurisprudence  
3 regarding reverse payment settlements beyond what I  
4 said about conducting a rule of reason analysis.

5 Q. Now, your economic framework is to compare  
6 expected consumer benefits under the settlement at  
7 issue compared to expected consumer benefits under  
8 continued litigation; correct?

9 A. That's correct.

10 Q. And the expected value is a mathematical  
11 expected value; correct?

12 A. "Expected value" when we use the term in  
13 economics is a mathematical expectation, which is a  
14 probability-weighted average of the different outcomes  
15 that could occur. That's correct.

16 Q. It's a mathematical formula.

17 A. That's correct.

18 Q. And the expected value is a calculation, a  
19 mathematical calculation, based on that formula;  
20 correct?

21 A. It's a mathematical calculation, that's  
22 correct.

23 Q. And for purposes of calculating expected  
24 values, you need information regarding the  
25 probabilities of who's going to win the patent case;

1 correct?

2       A. As I explained in my testimony and as I explain  
3 in my report, in some instances you do and in some  
4 instances you need not actually utilize probabilities,  
5 which was the question that I was asked on direct about  
6 does my opinion here depend upon the probabilities of  
7 the patent litigation outcomes in any way, and my  
8 answer was no, it does not. As it happens in this  
9 case, we don't need to consider those.

10       Q. I'm not asking about your opinion in this case  
11 yet.

12       A. Oh.

13       Q. I'm still just asking about the way that you  
14 calculate expected values under this mathematical  
15 formula. Okay?

16       A. When you need to evaluate an outcome that's  
17 inherently probabilistic, then the best you can do, if  
18 it's an inherently probabilistic outcome, is to assign  
19 probabilities to the various possible outcomes and  
20 calculate an expected value. That's correct.

21       Q. Okay. And as an economist, you would rely on  
22 the expert opinions of others to get the probabilities  
23 of who would win the patent case if you were going to  
24 do an expected value calculation; correct?

25       A. Certainly I would have no opinion as an

1 economist about the probabilities involved in the  
2 outcomes of a patent case, so I would be relying on  
3 some other sources of information for that. It could  
4 be other experts. I don't know that that exhausts the  
5 other possibilities, but I certainly wouldn't have any  
6 independent opinion about the probabilities of the  
7 outcomes of a patent lawsuit.

8 Q. And you read Mr. Figg's opinion in this -- or  
9 his report in this case; correct?

10 A. I did.

11 Q. And you saw Mr. Figg opine that it's not  
12 possible to reduce the odds of winning a patent  
13 litigation to a number that can be plugged into a  
14 formula; correct?

15 A. I'm aware that he said that.

16 Q. And you didn't actually do an expected value  
17 calculation in this case; correct?

18 A. I didn't need to.

19 Q. So that's a yes, you didn't do one?

20 A. I didn't do one. I didn't need to do one.

21 Q. And so you didn't do a calculation of expected  
22 consumer benefits under the settlement; right?

23 A. Again, there was no need to evaluate any  
24 probabilities because I could reach a definite  
25 conclusion in my analysis without having the result of

1 probabilities.

2 JUDGE CHAPPELL: If that's a no, you need to  
3 say "no."

4 THE WITNESS: And no, I did not, sir.

5 BY MR. LOUGHLIN:

6 Q. And you didn't determine an actual expected  
7 entry date under litigation; correct?

8 A. I determined that it would be later than  
9 January 1, 2013 but not by how much. That's correct.

10 Q. And you didn't look at consumer benefits from  
11 continued litigation as of the time of the settlement;  
12 correct?

13 A. I looked at -- I did not. I looked at it as of  
14 today.

15 Q. Right.

16 You looked at consumer benefits under continued  
17 litigation as of the time of your report, which was in  
18 September of this year; correct?

19 A. That's correct.

20 Q. And as of September, your opinion was that the  
21 expected entry date under continued litigation was  
22 sometime later than January 1, 2013; correct?

23 A. That's correct.

24 Q. And so you did your analysis of expected  
25 consumer benefits under continued litigation knowing

1 what actually happened in subsequent patent cases;  
2 correct?

3 A. Yes.

4 Q. Now, if you were hired in June of 2010 to  
5 assess the expected value of continued litigation, you  
6 might come up with one number in June of 2010 that  
7 would be -- might be different from the expected value  
8 you got in September of 2017; right?

9 JUDGE CHAPPELL: Just so we're clear, are you  
10 asking -- because of that magical date, June 2010, are  
11 you wanting him to assume at the time of settlement,  
12 after the settlement or before the settlement? Or does  
13 that have nothing to do with your question?

14 MR. LOUGHLIN: At the time of settlement. And  
15 thank you for that clarification.

16 BY MR. LOUGHLIN:

17 Q. So I'll restate the question. Okay?

18 If you were hired, at the time of the  
19 settlement between Impax and Endo, to assess the  
20 expected value of continued litigation, you might come  
21 up with a different value than you did in September of  
22 2017 knowing the outcome of what happened in the  
23 subsequent patent cases; correct?

24 A. It's -- the answer is yes, but it's not just  
25 having to do with what happened in subsequent patent

1 cases. It's yes, having to do with all of the things  
2 that we know happened as events unfolded from 2010 to  
3 now.

4           We take account of all of the information we  
5 have at our disposal to come up with the best answer  
6 that we can, so I would have come up -- I would have  
7 used all of the information at my disposal in June of  
8 2010 had I done the analysis at the time of the  
9 settlement, and it may have been a different answer. I  
10 don't know because I haven't done it.

11       Q. And if sometime later than today there were  
12 reversals in the court of appeals on some of the patent  
13 decisions that were rendered related to Endo's patents,  
14 that could cause you to have a third calculation of  
15 expected values under continued litigation, correct, as  
16 of that time; right?

17       A. Well, again, as I haven't calculated any  
18 expected values, I would not be calculating expected  
19 values were I to do this analysis later than now,  
20 because, as I've testified, my opinion does not depend  
21 on expected values in this case. It doesn't need to.

22           And so my opinion would be the same even if I  
23 were to do this analysis next year or the year after  
24 next in a context in which, as you posited, Endo  
25 patents had been found invalid or decisions had been



1 reversed.

2 Q. But if Endo patents sometime after today were  
3 later found to be invalid or unenforceable for some  
4 reason, reversing some of the district court rulings  
5 that are pending right now, that would -- could cause  
6 you to have a different view of consumer benefits under  
7 the settlement; correct?

8 A. Because all I analyzed was the difference  
9 between consumer benefit under the settlement and what  
10 would happen but for the settlement, nothing that  
11 happens henceforth from now forward is going to change  
12 my conclusion that entry but for the settlement would  
13 have been later than January 1, 2013, so I think the  
14 answer is no.

15 Q. Well, let me ask it this way.

16 A. Okay.

17 Q. Okay?

18 If subsequent to today there were reversals by  
19 the court of appeals on certain patent cases that  
20 relate to Endo's patents, that could cause you to have  
21 a third calculation of expected values of continued  
22 litigation; correct?

23 A. The trouble with your question is I have not  
24 calculated expect values. I've never needed to  
25 calculate expected values.

1           So no, I haven't got a first one, I haven't got  
2 a second one, I haven't got a third one.

3       Q.   Okay. Well, can you take a look at the  
4 deposition again at page 49 lines 20 -- and it carries  
5 over to page 50 line 3.

6       A.   Page 40 you said? 49.

7       Q.   Page 49.

8           And I'm looking at line 20, and it continues  
9 down to page 50.

10          Are you there, Dr. Addanki?

11       A.   I am.

12       Q.   And do you see I asked you, "And if subsequent  
13 to today, there were reversals by the court of appeals  
14 on certain patent cases that are between -- that relate  
15 to Endo's patents, that could cause you, yet, to have a  
16 third calculation of expected values of continued  
17 litigation; correct?"

18          And your answer was: "If you have more  
19 information than and you perform the analysis at a  
20 later time for the benefit of more information, you may  
21 have different conclusions."

22          That was -- that was the testimony at your  
23 deposition; correct?

24       A.   That was the testimony in my deposition.

25          The question you asked at line 10 on

1 page 49 set it up as a series of hypotheticals, were I  
2 to be hired to calculate the expected value of  
3 litigation in June 2010, were I to be hired to  
4 calculate the expected value of litigation in  
5 June 2017, and so on.

6           Were I to be hired to calculate expected  
7 values, I would do it. I haven't done it in this  
8 case.

9       Q. Sure.

10           And if you were hired to do it subsequent to  
11 today and there were reversals in the court of appeals,  
12 you may come up with yet a third calculation of  
13 expected values of continued litigation; correct?

14       A. The expected value of continued litigation that  
15 you calculate at any point in time, you would use all  
16 of the information at your disposal when you do the  
17 calculation. That's correct.

18       Q. Now, at the time of the settlement, Impax  
19 didn't have the information you have today regarding  
20 what has happened in subsequent patent cases; correct?

21       A. Impax did not.

22       Q. And at the time of the settlement, Endo didn't  
23 have the information you have today regarding what has  
24 happened in subsequent patent cases; correct?

25       A. It did not.

1 Q. And Endo didn't know if it was going to win at  
2 the district court level in June of 2010; right?

3 A. It did not.

4 Q. And so that in June of 2010, Endo faced a risk  
5 that Impax would be able to enter the market before  
6 January 1, 2013; correct?

7 A. Yes.

8 Q. Now, Dr. Addanki, in your opinion, the only way  
9 to measure whether a settlement is anticompetitive is  
10 to see if the settlement entry date is later than the  
11 expected entry date under continued litigation;  
12 correct?

13 A. In the situation where your -- you have no  
14 other information to go on, that can be correct.  
15 That's right.

16 Q. Well, avoiding the risk of competition is not  
17 an anticompetitive effect, in your opinion; correct?

18 A. So when there's no monopoly power, settlements  
19 are in general going to be -- settlements of this  
20 nature, settling patent litigation, are not going to be  
21 anticompetitive.

22 If you find that there is monopoly power, then  
23 you're still going to have to ask the question, are  
24 consumers better off with the settlement or without.

25 The question isn't what motivated the parties.

1 The question is what were the effects of the  
2 settlement.

3           So a settlement that was intended -- I'm  
4 answering your question -- that was intended to  
5 mitigate or obviate or avoid risk may or may not end up  
6 being anticompetitive. You have to look.

7       Q. Okay. Well, then I'm going to ask my question  
8 again slightly differently.

9       A. Okay.

10      Q. Okay?

11           Assuming there is monopoly power, avoiding the  
12 risk of competition is not an anticompetitive effect,  
13 in your opinion; correct, Dr. Addanki?

14      A. So, again, I'm not quite sure how to analyze  
15 your -- interpret your question because a pure  
16 term-split settlement avoids the risk of competition.

17           I'm not sure what you mean by "avoid the risk  
18 of competition" beyond the fact that if you have a date  
19 certain, you've ruled out entry dates before that date  
20 certain. And that's true of any term-split settlement  
21 with any terms.

22      Q. All right. Then let me ask it this way then.

23           If there is monopoly power, in your opinion, a  
24 payment that allows the brand to avoid the risk of  
25 competition does not create an anticompetitive effect;

1 correct?

2       A. Again, for me as an economist, I can't read  
3 people's minds. I don't know what motivates either a  
4 brand company or a generic company because I can't --  
5 I'm not a mind reader. That's not my expertise.

6           I can evaluate effects. And it's a question of  
7 the effects. And it's a question of the effects  
8 relative to the but-for world without the settlement.

9           And so given what I've already told you about  
10 any settlement has the effect of mitigating risk,  
11 avoiding risk, if you ask me then, well, does the fact  
12 that there was a payment make it anticompetitive, the  
13 answer is no. That by itself doesn't make it  
14 anticompetitive. You have to analyze the effects of it  
15 to see if it's anticompetitive.

16       Q. And by "effects" you are not including the fact  
17 that the brand has avoided the risk of competition  
18 before a certain date in the future; correct?

19       A. Any settlement is going to mitigate some risk.  
20 That's the reason companies do it.

21           So it's avoiding risk, yes. All settlements  
22 avoid risk.

23       Q. So the answer to my question is yes, that's  
24 correct; right?

25       A. It is correct that by itself the avoidance of

1 risk does not constitute an antitrust problem, in my  
2 view, as an economist.

3 Q. Okay. And your opinion is that the entry of a  
4 lower-priced generic competitor does not by itself  
5 reveal anything useful about whether consumers are  
6 better off as a result of that entry; correct?

7 A. It does not reveal anything useful about  
8 whether monopoly power existed and is being dissipated.  
9 I really haven't carried out the analysis of whether  
10 the entry of a lower-priced product may or may not  
11 benefit some consumers somewhere.

12 But the question we're about is, was there  
13 monopoly power and was it -- is it going to be  
14 dissipated. And certainly the entry of a lower-priced  
15 generic, because that's exactly what generics do,  
16 doesn't tell you anything about monopoly power.

17 Q. Can I ask you to turn to your report, which is  
18 in your binder at RX 547.

19 A. 547?

20 Q. 547, yes.

21 And specifically to --

22 A. I'm sorry. I'm not there yet, so you're going  
23 to have to give me a second here.

24 Right. Where?

25 Q. It's page 15 of your report.

1 A. 1-5?

2 Q. Correct.

3 A. I have it.

4 Q. It's -- on the bottom it should say

5 "RX 547.0019."

6 Do you see that?

7 A. I do.

8 Q. Okay. And do you see in paragraph 31?

9 A. Yes.

10 Q. In the very top, you're talking about the  
11 restriction in output that causes a loss of consumer  
12 welfare.

13 Do you see that?

14 A. Yes.

15 Q. And then the next clause says (as read) the  
16 entry of a low-priced competitor does not, by itself,  
17 reveal anything useful about whether consumers are  
18 better off as a result of the entry, or whether the  
19 incumbent firm had exercised market power or, indeed,  
20 even possessed any market power to be exercised.

21 Do you see that?

22 A. I don't know if you deliberately misquoted  
23 that. I used the words "monopoly power." Both times  
24 you said "market power."

25 Q. Oh, did I? Oh, I apologize for that. I did



1 not deliberately misquote you.

2 A. Okay.

3 Q. That's what your sentence says; right?

4 A. Would you read it again because I think the  
5 record is not --

6 Q. Sure.

7 I'm reading the clause that says "the entry of  
8 a low-priced (sic) competitor does not by itself reveal  
9 anything useful about whether consumers are better off  
10 as a result of the entry."

11 Do you see that part?

12 A. Yes.

13 Q. That's the part I'm asking you to focus on.

14 A. Yes.

15 Q. Okay?

16 And you said that you agree with it; correct?

17 A. I wrote that. That's my opinion.

18 Q. And you agree with it.

19 A. Yes.

20 Q. As you're sitting here today.

21 A. Yes.

22 Q. Okay. In fact, Dr. Addanki, you think that  
23 generic entry may cause consumer harm; correct?

24 A. I'm certainly aware of situations in which it  
25 can and has, but I have no general opinion about what

1 generic entry does as far as consumer benefit is  
2 concerned. It depends on the circumstances.

3 Q. Now, Dr. Addanki, if AB-rated generic entry  
4 occurs and sales are shifted from the brand to a  
5 lower-priced generic, your opinion is that you can't  
6 tell if consumers are better off; correct?

7 A. As I've explained in the report, the brand and  
8 the generic are different. The brand engages in  
9 various activities that can have real value for  
10 physicians and patients. Those values -- those  
11 activities cease when there's an AB-rated generic or  
12 get greatly curtailed when there's an AB-rated  
13 generic.

14 Consumer benefit may go up or down depending  
15 upon the value of those activities and the price that  
16 you see in the marketplace. And as I've said before,  
17 output is the best test of whether on net consumers are  
18 better off or not, because if those activities have  
19 real value, you will not see the lower price actually  
20 producing more output.

21 So that's the complete answer. You can't tell  
22 just by -- from -- just from the fact that there's a  
23 generic coming in at a lower price, you cannot tell if  
24 consumers are better off or worse off on that.

25 Q. So that's a yes to my question; right?

1       A. Yes. And that's a complete answer and a yes,  
2 that's right.

3       Q. Well, a complete answer would have a yes or a  
4 no, and then you can give whatever explanation you  
5 want, but if you would please give me that, then I will  
6 know that you've answered my question. Okay?

7               Now, Dr. Addanki, if AB-rated generic entry  
8 occurs and sales are shifted from the brand to the  
9 lower-priced generic, in your opinion, you can't tell  
10 whether consumers who are now buying the lower-priced  
11 generic are themselves better off; correct?

12       A. I've never done that analysis because to me  
13 it's always a question of how consumers in the  
14 aggregate are doing. But it's not clear to me  
15 necessarily that consumers are better off. The point  
16 is that consumers don't get to choose. They get the  
17 generic because that's how the law works. That's how  
18 the substitution laws work.

19               So it's not the case that consumers have chosen  
20 and have voted with their feet, and Judge Chappell and  
21 I talked about this a little bit yesterday. As a  
22 patient, you don't get the choice. You will get the  
23 generic. Now, you don't get the brand if you prefer  
24 the brand. You get the generic.

25               And so it's not at all clear that any

1 customers in a specific situation are better off. But  
2 I can't say I've analyzed whether any specific  
3 consumers are better off. I view it as an aggregate  
4 consumer benefit question.

5 Q. So, again, the answer to my question is yes,  
6 that's correct?

7 A. The answer is yes, you cannot tell.

8 Q. And Dr. Addanki, if AB-rated generic entry  
9 occurs and you know that substantial sales have been  
10 moved from the branded product to the generic product  
11 at a lower price, and you know that consumers are  
12 generally paying lower copays under the way their  
13 insurance works, in your opinion, you still don't know  
14 whether those particular consumers are better off;  
15 correct?

16 A. Again, I haven't analyzed it for segments of  
17 consumers, so I couldn't give you an answer unless we  
18 talked about a specific case. I don't think you can  
19 make any generalization because it is pretty  
20 fact-specific.

21 So in a general sense, you can't tell for sure  
22 one way or the other.

23 Q. So then the answer to my question is yes,  
24 that's correct?

25 A. That you cannot tell.

1 Q. Now, Dr. Addanki, in your report you discuss  
2 the value of the no-AG and the Endo credit provisions  
3 under the settlement; correct?

4 A. That's correct.

5 Q. And you spend three paragraphs discussing that;  
6 correct?

7 A. I don't know. If you can point me to it, we  
8 can look.

9 Q. Yes. It's paragraphs 125, 126 and 127 of your  
10 report. It begins on page 62 of your report. That's  
11 RX 547.

12 A. Yes.

13 Q. 547.0066.

14 A. Right.

15 Q. Okay. So my question is just, you spend three  
16 paragraphs, right, 125, 126 and 127, discussing the  
17 value of the no-AG and Endo credit provisions;  
18 correct?

19 A. Yes.

20 Q. And if you look near the bottom of  
21 paragraph 125, you say, "Dr. Noll claims to show a  
22 range for the 'Approximate Value of No AG and Endo  
23 Credit at Time of Settlement' under various  
24 scenarios."

25 Do you see that?

1 A. I do.

2 Q. Now, your report doesn't offer any specific  
3 criticisms of Dr. Noll's calculations of the ex ante  
4 value of the no-AG and Endo credit provisions to Impax  
5 at the time of the settlement; correct?

6 A. Well, no. I think -- I think in 126 what I  
7 say is that there are absolutely reasonable scenarios  
8 in which you get calculations that are different  
9 because you have simultaneously valueless provisions,  
10 and that's what I explain in 126 and 127, and that's a  
11 criticism of his calculation.

12 Q. Let me maybe make my question clearer. Okay?

13 A. Okay.

14 Q. I understand that you criticize part of his  
15 opinion, but you didn't offer any criticisms of the way  
16 that Dr. Noll calculated the ex ante value --

17 A. You mean his formulas?

18 Q. Correct.

19 A. I did not.

20 Q. And what you say at the end of paragraph 125 is  
21 that Dr. Noll provides an incomplete assessment of the  
22 ex ante value of these provisions to Impax at the time  
23 of the settlement; right?

24 A. Right.

25 Q. And then you explain in paragraph 126 why you

1 believe Professor Noll's analysis is incomplete;  
2 right?

3 A. That's correct.

4 Q. And what you say is, in the first sentence of  
5 126, "Contrary to Dr. Noll's assertion that 'if one  
6 provision is valueless, the other has substantial  
7 value,' it is possible that the 'No AG' and Endo Credit  
8 provisions would have provided zero value to Impax";  
9 right?

10 A. Yes. I wrote that.

11 Q. Now, in your report, you don't assess the  
12 likelihood that both the no-AG provision and the Endo  
13 credit provision would have provided zero value to  
14 Impax; correct?

15 A. I do not assign a probability to it. That's  
16 correct.

17 Q. You don't assess the likelihood in any other  
18 way; correct?

19 A. Well, no. I do explain that knowing the  
20 provision, the way it's written, that it would make  
21 sense for Endo to have planned its migration of  
22 patients from original to reformulated in a way that  
23 minimized patient loss and minimized whatever  
24 obligations might be payable under the Endo credit  
25 provision.

1           And so that -- that's a statement about what I  
2 would expect to see, which is intrinsically about  
3 likelihoods, but I did not attach a probability to it.  
4 That's correct.

5       Q. Well, in terms of likelihoods, what you said in  
6 your report is that it is possible; correct? That's  
7 the term you used?

8           You can look at the first sentence of 126 if  
9 you like, Dr. Addanki.

10       A. Yes, I did.

11           But I've also said in footnote 207 that,  
12 consistent with the discussion leading to that  
13 footnote that discusses how the payment would be  
14 minimized in the event of a reformulation, I note  
15 that, that in fact consistent with that discussion,  
16 Endo was obliged, had been obliged to move up the  
17 launch date so that, again, ex ante it's more likely  
18 that Endo would have managed that transition being  
19 fully aware of what that provision read, how the  
20 provision read and what its obligations would be under  
21 the provision.

22       Q. Right. But what you wrote in your report was  
23 it's possible. You wrote it in the first sentence.  
24 Then down near the bottom of 126 on 63 beginning  
25 "Therefore" you say it's possible again.



1           You don't say "likely," you say "possible";  
2 correct?

3       A. Right. But if you read -- I mean, well,  
4 certainly what I intend to say and what I've said in  
5 this whole section is that the -- it would make  
6 economic sense for Endo to have done that, and indeed,  
7 it seems like that's what Endo had in mind, based on  
8 the discussion in footnote 207. But I've certainly not  
9 assigned probabilities. That's correct.

10       Q. And I just want you to listen to my question.  
11 Okay?

12       A. Okay.

13       Q. My question is, the word you used was  
14 "possible"; correct?

15       A. Yes.

16       Q. Now, did you see any documents or testimony  
17 about what Impax' chief negotiator thought about the  
18 likelihood of Impax getting no value from the no-AG or  
19 Endo credit provisions?

20       A. I saw some documents suggesting that Impax  
21 thought that the provisions provided some safety net.  
22 There may have been other documents that I don't  
23 recall.

24       Q. Now, in your report, you didn't calculate a  
25 mathematical expected value of the sort you discussed

1 with respect to the continued value of litigation;  
2 correct?

3 A. I did not.

4 You're asking if I calculated expected value of  
5 continued litigation?

6 Q. No. I'm asking if you calculated a  
7 mathematical expected value of the payment.

8 A. I did not.

9 Q. And you didn't assess the mathematical expected  
10 value of the payment either as of the time of the  
11 settlement in June of 2010 or in September 2017;  
12 correct?

13 A. With -- in September 2017, we know the payment  
14 with a probability of one. It was \$102 million.

15 So I'm not sure I understand your question.

16 Q. Well, with respect to continued litigation, you  
17 assess expected values as of September 2017. Do you  
18 recall that?

19 A. I think I've testified repeatedly that I have  
20 not calculated expected values. I didn't need to  
21 calculate expected values.

22 I've calculated that the consumer benefit would  
23 be better under the settlement because entry would have  
24 occurred later but for the settlement. I've not  
25 calculated an expected value.

1 Q. Okay. But you did a calculation regarding  
2 continued litigation as of September 2017; correct?

3 A. I made an assessment that consumers are better  
4 off with the settlement as of September 2017.

5 Q. Right.

6 And you didn't do any sort of calculation or  
7 assessment of the expected value as of September 2017;  
8 correct?

9 A. The expected value of what?

10 Q. Of the payment.

11 A. Of the payment.

12 Q. Yes.

13 A. We know the payment with certainty. The  
14 expected value is the same as the payment. It's  
15 \$102 million.

16 Q. So if you looked at the mathematical expected  
17 value of the payment as of September 2017, you would  
18 take into account the fact that Endo actually paid  
19 \$102 million under that provision; correct?

20 A. There's no mathematical expected value. It is  
21 the number. There's no uncertainty about the number.  
22 \$102 million was paid, for reasons that I explained at  
23 length yesterday.

24 Q. Now, in principle, it is possible to determine  
25 the expected value of the no-AG provision and Endo

1 credit; right?

2 A. To whom?

3 Q. To Impax.

4 A. So the expected value to Impax would depend  
5 upon what was in Impax' or the negotiators for Impax'  
6 minds. And if you knew what they were thinking about  
7 probabilities looking forward, assuming they thought  
8 about it in those terms, you could in principle  
9 calculate an expected value.

10 Q. Right.

11 And to do that, in principle, you would have to  
12 assign probabilities to all the potential outcomes  
13 under the no-AG and Endo credit; right?

14 A. No. You would have to know what probabilities  
15 they assigned to outcomes.

16 Q. You'd have to know that for each of the  
17 possible outcomes; correct?

18 A. You would have to know however they were  
19 thinking about it. Whether it was a question of  
20 outcomes or they were thinking about it as a  
21 probability distribution of some kind I don't know.  
22 It's what was in Impax negotiators' or Impax  
23 management's minds at the time.

24 Q. And you don't have that information.

25 A. I do not.

1 Q. As a practical sense, it's not really doable to  
2 do an expected value of the payment; correct? A  
3 mathematical expected value; correct?

4 A. I'm certainly not going to rule out being able  
5 to do a calculation of that kind. I haven't done it  
6 here and never went about trying to do it here.

7 Q. As a practical matter, is it doable to  
8 determine expected values of the no-AG and Endo credit  
9 as of the time of the settlement, using the expected  
10 values of the sort we talked about earlier today?

11 A. I haven't tried doing it. I haven't undertaken  
12 any such analysis. I'm not going to rule out that it's  
13 possible. I haven't done it.

14 Q. Okay. Why don't you turn to your deposition  
15 again.

16 A. Okay.

17 Q. And to page 114.

18 A. 114.

19 That's deposition page 114?

20 Q. It is.

21 A. I have it.

22 Q. And do you see up at the top I'm asking you  
23 about whether it's -- are you there, Dr. Addanki?

24 A. Yes.

25 It's a carryover question; right?

1 Q. No. It's page 114 at --

2 A. 114. Pardon me. Okay.

3 Q. Are you with me?

4 A. Yes.

5 Q. Okay. And up at the top on line 1 I'm asking

6 you is it possible to determine expected values of the

7 no-AG and Endo credit.

8 Do you see that?

9 A. Yes.

10 Q. And you answer, and then in line 15 I say, "You

11 didn't do it here; correct?"

12 And your answer was: "No, I didn't do it at

13 all here.

14 "QUESTION: Okay.

15 "ANSWER: I don't think it's actually in any

16 practical sense doable."

17 A. Right.

18 Q. That was your answer; right?

19 A. It was the answer to the question is it

20 possible to determine the expected value, not the

21 expected value to Impax or the expected value to Endo,

22 but the actual expected value. And I took your

23 question there as I take it now, if you ask me the same

24 question, to mean an objective expected value, and I

25 say yeah, you cannot do that.

1 Q. And so rather than a mathematical expected  
2 value, you're sort of talking about anticipated value  
3 as of the time of the settlement; right?

4 A. I don't know what you're asking about. What do  
5 you mean, I'm talking about?

6 Q. Well, rather than -- well, all right. Let me  
7 start that over.

8 Let me ask you to look at paragraph 126 of your  
9 report.

10 A. Oh, of my report. Okay.

11 Q. That's RX 547.0069. It's page 65 of your  
12 report.

13 A. I have it.

14 Q. Are you there, Dr. Addanki?

15 The top of page 65?

16 A. Yes.

17 Q. Do you have it?

18 A. Yes.

19 Q. And it says, "Therefore, there were a wide  
20 range of potential values for the 'No AG' and Endo  
21 Credit provisions (including zero) and thus uncertainty  
22 about the expected value of any payment represented by  
23 the 'No AG' and Endo Credit provisions at the time of  
24 the settlement."

25 Do you see that?

1 A. Right.

2 Q. And when you used "expected value" in that  
3 paragraph, you didn't mean mathematical expected value;  
4 correct?

5 A. No. I did.

6 Q. Oh, you did?

7 A. I did.

8 Q. Now, let me turn back to paragraph 126 --

9 A. Okay.

10 Q. -- Dr. Addanki, in your report.

11 And this is where you're offering your  
12 criticism of Professor Noll and why his analysis was  
13 incomplete. Do you recall that?

14 A. Yes.

15 Q. If you look down near the bottom of that  
16 paragraph 126 on page 63, do you see the sentence that  
17 begins "Therefore"?

18 A. Yes.

19 Q. And you say, "Therefore, it is possible that,  
20 had Endo launched reformulated Opana ER and  
21 discontinued original Opana ER shortly before  
22 January 2013, its Prescription Sales (of original  
23 Opana ER) in the last quarter of 2012 may not have  
24 dropped below 50 percent of their quarterly peak."

25 Do you see that?



1 A. I do.

2 Q. And then you continue, "In this scenario, there  
3 would have been no Endo Credit paid to Impax."

4 Do you see that?

5 A. I do.

6 Q. Did you look -- and -- let me start that over.

7 You said today I believe that you would expect  
8 that Endo would have managed its launch to accomplish  
9 the result that it didn't have to pay any Endo credit;  
10 correct?

11 A. It would certainly have been in Endo's economic  
12 interest to do so and within Endo's ability to do so,  
13 because it was Endo that controlled -- would have  
14 controlled the pace of the launch but for the Novartis  
15 plant shutdown.

16 Q. And I believe your testimony was that you would  
17 expect that to be Endo's plan. Correct?

18 A. I would certainly expect that to be the plan,  
19 yes.

20 Q. Did you look at documents concerning Endo's  
21 plans in 2010 regarding its launch of reformulated  
22 Opana ER?

23 A. There were a range of plans. I do know that  
24 there were at least some documents that I reviewed  
25 which were contemplating a launch later in 2012 than

1 Endo actually ended up having to do. I don't remember  
2 the full range of documents that I saw.

3 Q. Do you recall looking at documents in 2010?

4 A. Endo documents in 2010?

5 Q. Let me be clearer. Endo documents dated from  
6 2010 that you were looking at during your analysis.

7 A. I don't remember.

8 Q. Okay. Can we put up CX 3038, Corinne.

9 I believe it's in your binder, Dr. Addanki. If  
10 you prefer to look at it that way, you're welcome to.

11 A. 3038? Okay.

12 (Document review.)

13 Q. Do you have it, Dr. Addanki?

14 A. I have it.

15 Q. And do you see the subject line says

16 "EN3288 Core Commercial Launch Team Update"?

17 A. Yes.

18 Q. And do you know that EN3288 is the code at Endo  
19 for the reformulated version of Opana ER?

20 A. I don't recall.

21 Q. You don't recall that?

22 A. Yeah.

23 Q. Okay. Assuming that's right, do you see in the  
24 top e-mail where it says "Some key dates"?

25 A. Yes.

1 Q. And it says, "Product Launch - Schedule  
2 indicates March 2011, but could range from  
3 December 10 to June 11."

4 Do you see that?

5 A. I do.

6 Q. And you didn't consider this document in coming  
7 up with your opinions; correct?

8 A. Well, a document that predates both the  
9 settlement which put Impax off the market till  
10 January 2013 and that contained the Endo credit  
11 provision is not going to inform my analysis of what  
12 Endo would have done, knowing what was in the  
13 settlement, very much. I may have seen this, but no,  
14 it doesn't, doesn't tell me a whole lot.

15 Q. My question was that you didn't consider it.  
16 Correct?

17 A. I may have considered it. I don't recall.

18 Q. Well, let's look at your report then.

19 A. Okay.

20 Q. If you look in your report -- your report is  
21 RX 547 in your binder.

22 A. Yes.

23 Q. Do you see that?

24 It's on page RX 547.0095 carrying over to  
25 0096.

1           You'll see a list of Bates-stamped documents  
2 that you considered?

3       A.   Yes.

4       Q.   Actually, let me ask you to turn to the prior  
5 page, 547.0093.

6       A.   Okay.

7       Q.   Do you see it's entitled Exhibit 2 Documents  
8 Considered?

9       A.   Yes.

10      Q.   This is the list that you put together of the  
11 documents that you considered in forming your report;  
12 correct?

13      A.   That's correct.

14      Q.   And you intended to include everything that you  
15 considered in this list; right?

16      A.   Yes.

17      Q.   Okay. Now, if you look at your list, and if  
18 you'd look at RX 3038, do you see that number there?

19           It's not there, is it, sir?

20      A.   So wait, wait. You've asked me to look for  
21 Bates numbers on this list.

22           This has two Bates numbers.

23           I'm not sure why it has two Bates numbers.

24           (Document review.)

25           I don't see it on this list.

1 Q. Corinne, can you put up CX 1108.

2 Dr. Addanki, we're going to put up CX 1108. It  
3 should also be in your binder if you prefer to look at  
4 it that way.

5 Are you there, Dr. Addanki?

6 A. I am.

7 Q. Okay. Do you see the cover page of CX 1108 --

8 A. Right.

9 Q. -- is an e-mail from a Mr. Bingol?

10 A. Yes.

11 Q. The subject is Revopan BOD slides.

12 Do you see that?

13 A. Yes.

14 Q. Are you aware that Revopan was the potential  
15 name for reformulated Opana ER?

16 A. Yes, I am.

17 Q. Okay. And this is dated 11-16-2010; correct?

18 A. Yes.

19 Q. That's after the settlement in June of 2010;  
20 correct?

21 A. Yes, it is.

22 Q. All right. Now, can you turn to CX 1108-004.

23 A. I have it.

24 Q. Do you see down at the bottom there's some --  
25 there's the three bottom bullet points?

- 1 A. Yes.
- 2 Q. And the first one says "PDUFA date  
3 January 7, 2011"?
- 4 A. Yes.
- 5 Q. Do you know what a PDUFA date is?
- 6 A. No.
- 7 Q. Do you see underneath it it says "Trade launch  
8 February 8"?
- 9 A. I do.
- 10 Q. Do you know what a trade launch is?
- 11 A. A launch to the trade. Yes.
- 12 Q. And then it says "Sales force launch  
13 February 28" as well?
- 14 A. Right.
- 15 Q. And do you interpret that to be 2011?
- 16 A. That would be my understanding from this  
17 document. Yes.
- 18 Q. And you didn't consider this document in  
19 forming your opinions; correct?
- 20 A. I don't know if I cited this document or not.
- 21 Q. Okay. Well, let's take a look.
- 22 A. Okay.
- 23 Q. Let's go back to your report, RX 547.0095.
- 24 A. Okay.
- 25 Q. And tell me if you see that listed amongst your

1 materials considered.

2 A. Okay. Now I've lost the Bates numbers, so hang  
3 on.

4 Q. The Bates number is EPI000189454.

5 A. Nope, that doesn't seem to be on here.

6 Q. Okay. And could I ask you to turn back  
7 briefly to CX 1108- now 0008.

8 And this is concerning the Opana ER switch to  
9 Revopan.

10 Do you see that?

11 A. I'm not there yet. One moment.

12 Q. Oh, I apologize.

13 A. I have it.

14 Q. Okay. And do you see up at the top it says  
15 "Opana ER Switch to Revopan"?

16 A. Right.

17 Q. And the third bullet says, "Current planning  
18 assumption is to stop shipping all Opana ER by  
19 October 1, 2011."

20 Do you see that?

21 A. I do.

22 Q. And you didn't consider that when you were  
23 forming your opinions; correct?

24 A. Well, I certainly didn't cite this document in  
25 my report.

1 Q. Let me ask you to turn to CX 3038,

2 Dr. Addanki.

3 A. Okay.

4 Q. And that should be in your binder as well.

5 Oh, I'm sorry. I've already shown you this  
6 one. I meant CX 2738. I apologize for that.

7 A. I have it.

8 Q. And do you see this document says  
9 "ELC 2012 Budget Review" for Endo Pharmaceuticals in  
10 the first page?

11 A. Yes.

12 Q. And it's dated October 12, 2011?

13 A. Yes.

14 Q. And that's after the settlement; correct?

15 A. That's correct.

16 Q. Could you turn to CX 2738-008.

17 A. I have it.

18 Q. And do you see up at the top it says "Opana ER  
19 TRF Supply and Conversion Scenarios"?

20 A. Yes.

21 Q. And do you understand that "TRF" refers to the  
22 reformulated version of Opana ER?

23 A. I do.

24 Q. And do you see on the left-hand side there's  
25 various scenarios?



1 A. Yes.

2 Q. The base scenario, wholesaler stocking begins  
3 with Bio- -- Biconcave, do you see that?

4 Do you see that column?

5 A. Yes.

6 Q. Okay. Under the base scenario, the wholesaler  
7 stocking would begin in August of 2012; correct?

8 A. That's correct.

9 Q. This is as of October 2011; right?

10 A. Right.

11 Q. The upside scenario wholesaler stocking would  
12 begin July 5, 2012; correct?

13 A. Right.

14 Q. The downside scenario has various wholesaler  
15 stocking dates between April 1, 2012 and September 10,  
16 2012; is that right?

17 A. Right.

18 Q. And then down at the bottom you see there's  
19 something called an emerging view.

20 Do you see that?

21 A. Right.

22 Q. And that lists the wholesaler stocking as  
23 beginning in February of 2012; right?

24 A. Right.

25 Q. And you didn't consider this document in

1 forming your opinions, did you, Dr. Addanki?

2 A. I don't believe I cited this document. No.

3 Q. Do you want to check?

4 A. Sure.

5 Q. You can look back at RX 547.0095.

6 (Document review.)

7 A. No, this is not cited.

8 Q. Now, Dr. Addanki, turning back to your report,  
9 paragraph 126 at RX 547.0067?

10 A. Right.

11 Q. Are you there?

12 A. I am.

13 Q. Okay. We were discussing this sentence that  
14 begins "Therefore" --

15 A. Yes.

16 Q. -- near the bottom of page 63 --

17 A. Yes.

18 Q. -- where you're saying it's possible that had  
19 Endo launched reformulated Opana ER and discontinued  
20 original Opana ER shortly before January 2013, its  
21 prescription sales of original Opana ER in the last  
22 quarter of 2012 may not have dropped below 50 percent  
23 of their quarterly peak.

24 Do you recall that sentence?

25 A. I do.

1 Q. It's also possible that if Endo launched  
2 reformulated Opana ER and discontinued original  
3 Opana ER just before January 1, 2013, sales of original  
4 Opana ER would have dropped below 50 percent of their  
5 quarterly peak; correct?

6 A. It's -- it's unlikely, because you're talking  
7 about a transition of prescriptions from one product to  
8 another. That takes some time to achieve. There's  
9 product in the trade pipeline which will continue to  
10 get dispensed against refill prescriptions.

11 So I'd say that would be unlikely. It's  
12 possible but unlikely.

13 Q. It is possible; right?

14 A. Possible but unlikely. Yes.

15 Q. And your testimony just now that it's  
16 unlikely, is that based on your expertise as an  
17 economist?

18 A. Yes. As an economist who's studied the  
19 pharmaceutical industry for many, many years, yes.

20 Q. And do you have expertise in how companies  
21 reformulate and switch products, Dr. Addanki?

22 A. Not about how they reformulate but how they  
23 transition from one product to a reformulated product  
24 is in general in the marketplace, yes, a great deal.

25 Q. How many times in the past have you studied

1 situations where a company reformulated its product and  
2 switched from the original to the reformulated?

3 A. How many times? I don't keep track, but  
4 certainly more than half a dozen, probably more than  
5 ten.

6 Q. Have you written on that topic?

7 A. I'm sure that my writings have touched on the  
8 topic. I can't remember specifically any article  
9 exclusively devoted to that topic.

10 Q. So you haven't written on this topic, and your  
11 expertise is limited to looking at this scenario six to  
12 ten times; is that right?

13 A. No. The point is that having been familiar  
14 with about, say, ten or more times of studying it, in  
15 each of those cases reference is typically made to what  
16 can be expected in a transition of that kind, so I'm  
17 generally familiar with that part of brand company  
18 strategies.

19 Q. Did those scenarios have something like an Endo  
20 credit in them?

21 A. I don't remember.

22 Q. Did you -- were you measuring how quickly sales  
23 of the original product declined after the reformulated  
24 launched?

25 A. That's what I'm talking about. I'm talking

1 about the transition in prescriptions being dispensed  
2 from an original product to a reformulated product.

3 Q. And you were measuring whether they declined by  
4 50 percent or more --

5 A. No.

6 Q. -- a certain time period?

7 A. Pardon me. Sorry. Go ahead.

8 Q. No. I -- you were measuring whether they  
9 declined by 50 percent or more within a certain time  
10 period; is that right?

11 A. No, I was not.

12 Q. If Endo launched its reformulated Opana ER and  
13 discontinued original Opana ER just before January 1,  
14 2013 and sales of original Opana ER dropped below  
15 50 percent of their quarterly peak, Endo would have to  
16 pay the Endo credit; correct?

17 A. It would have to pay a credit, the amount of  
18 which would depend on by how much they fell below that  
19 peak.

20 Q. Now, I think you mentioned earlier that it  
21 takes some time for the reformulation -- let me start  
22 that over.

23 I think a minute ago you testified that it  
24 takes some time for the brand to switch prescriptions  
25 from the original product to the reformulated product;

1 correct?

2 A. Yes.

3 Q. It takes months for that to happen; correct?

4 A. Typically, yes.

5 Q. So it's possible that if Endo launched  
6 reformulated Opana ER and discontinued original  
7 Opana ER just before January 1, 2013, Endo would not be  
8 successful in switching patients to the reformulated  
9 Opana ER before entry of generic versions of Opana ER  
10 on January 1, 2013; correct?

11 A. Well, again, we need to be clear what we mean  
12 by "just before." I wasn't suggesting that it would be  
13 December 31.

14 But these are the moving parts that Endo had  
15 under its control, was when it was going to introduce  
16 reformulated and when it was going to discontinue  
17 original. And my point is simply that knowing what  
18 obligations it had under these terms and knowing that  
19 transition takes time, I would have expected Endo to  
20 have managed that transition.

21 I haven't studied exactly how many months it  
22 would have taken or what specifically would have been  
23 Endo's optimal plan. That wasn't part of my work.

24 Q. But what Endo doesn't have within its control  
25 is how quickly doctors are going to start prescribing

1 the new product for the old product; correct?

2 A. That would be the part that Endo would be  
3 field-testing were it to do it -- were it to do the  
4 transition according to its own timetable as opposed to  
5 being hurried to it by Novartis plant crisis. It would  
6 be doing that testing and getting its ducks in a row to  
7 make sure that that transition happened in a  
8 predictable way.

9 Q. It would be doing that testing by talking to  
10 doctors?

11 A. Yes. Exactly.

12 Q. But ultimately whether the doctors actually  
13 prescribe the new product is not within Endo's control;  
14 correct?

15 A. Well, I mean, to some extent, it is because  
16 discontinuing the original product essentially makes  
17 that happen. But the transition is something that I  
18 would expect Endo would manage based on the best  
19 research it could do on physicians' opinions and  
20 physicians' behavior.

21 Q. But when Endo stops selling Opana ER, there's  
22 still Opana ER -- original Opana ER is still in the  
23 pipeline; correct?

24 A. Right.

25 Q. Wholesalers have it, retailers have it;

1 correct?

2 A. Yes.

3 Q. And doctors can still prescribe it; correct?

4 A. Yes.

5 JUDGE CHAPPELL: We're going to take a short  
6 break. I'll be asking you for a time estimate when we  
7 come back. We'll reconvene at 12:10.

8 We're in recess.

9 (Recess)

10 JUDGE CHAPPELL: Back on the record.

11 How much more time do you think you need for  
12 your cross?

13 MR. LOUGHLIN: My prediction is about an hour,  
14 Your Honor. I'm hoping for less, but that's where I am  
15 right now.

16 JUDGE CHAPPELL: All right. Thanks.

17 Go ahead.

18 BY MR. LOUGHLIN:

19 Q. Welcome back, Dr. Addanki.

20 A. Thank you, sir.

21 Q. Now, as an economist, you would expect Endo to  
22 try to maximize its overall profits as a company;  
23 correct?

24 A. That's what we economists assume companies try  
25 to do. Yes.



1 Q. And you would expect Endo to conduct the launch  
2 of reformulated Opana ER to maximize its overall  
3 profits as a company; correct?

4 A. Generally speaking, yes.

5 Q. And you would expect Endo to conduct the launch  
6 of reformulated Opana ER to maximize its overall  
7 profits as a company even if that meant they had to pay  
8 the Endo credit; correct?

9 A. It would be the overall profit, and if they  
10 could make more profit elsewhere by incurring the Endo  
11 credit, they would, yes.

12 Q. And you haven't studied whether Endo would  
13 maximize its overall profits by launching earlier --  
14 launching its reformulated Opana ER earlier and paying  
15 the Endo credit versus launching just before or shortly  
16 before January 2013 and avoiding the Endo credit, as  
17 you discuss in your report; correct?

18 A. I don't think those are -- I don't think those  
19 are the -- it's not a choice between those two  
20 possibilities. The point would be that I would expect  
21 Endo to launch and manage its transition in such a way  
22 as to maximize its profits. And if you hypothesize  
23 that that optimal launch might include some payment  
24 under the Endo credit, it may. Yes.

25 Q. But you haven't studied that.

1 A. I have not.

2 Q. Dr. Addanki, can I ask you to turn back to your  
3 report, RX 547.

4 A. Okay.

5 Q. And specifically I'm going to ask you to look  
6 at paragraph 127 that you will find on RX 547.0069.  
7 It's page 65 of your report.

8 A. I have it.

9 Q. You say, in paragraph 127, "There were a wide  
10 range of potential values for the 'No AG' and Endo  
11 Credit provisions (including zero) and thus uncertainty  
12 about the expected value of any payment represented by  
13 the 'No AG' and Endo Credit provisions at the time of  
14 the settlement."

15 Do you see that?

16 A. Yes.

17 Q. A wide range of potential values for the no-AG  
18 and Endo credit provision included the \$102 million  
19 that were actually paid by Endo; correct?

20 A. It's certainly difficult to argue that  
21 something that actually happened was not a potential  
22 value. As to whether it would have been a potential  
23 value for either of the parties I have no idea.

24 Q. I can't tell if your answer is yes, that's  
25 correct, or no, it's not correct, Dr. Addanki.

1       A. Well, it is clearly a potential value in the  
2 objective sense because it happened, so one cannot say  
3 something that didn't have the potential to happen  
4 actually happened. But as to whether it was a  
5 potential value either party contemplated, I have no  
6 idea.

7       Q. Well, I'm just asking in the context of  
8 paragraph 127 and what you wrote where you say, "There  
9 were a wide range of potential values."

10           Do you see that language?

11       A. Yes.

12       Q. In that context, it's correct, isn't it, that  
13 the wide range of potential values included the  
14 \$102 million that Endo actually paid; correct?

15       A. If we're talking about the specific paragraph  
16 here, yes, because I'm speaking of the objective  
17 potential values here.

18       Q. And the range of potential values that you're  
19 talking about in paragraph 127 could also include  
20 payments greater than \$102 million; correct?

21       A. Potentially.

22       Q. Are you aware that in the summer of 2012 both  
23 Endo and Impax projected payments under the Endo credit  
24 to be \$110 million?

25       A. I don't recall specifically what documents I

1 saw, but that wouldn't surprise me.

2 Q. Now, Dr. Addanki, I believe you testified  
3 earlier that parties posture in negotiations; is that  
4 right?

5 A. They do.

6 Q. And because parties posture, you can't tell the  
7 true reservation dates of either party in a settlement  
8 negotiation; is that right?

9 A. No. You can't tell the true reservation dates  
10 of either party in a negotiation for reasons that have  
11 much more to do -- that have to do with much more than  
12 just posturing. It's not possible to divine what's in  
13 someone's head.

14 Q. So I think you and I are agreeing that you  
15 cannot tell the true reservation dates that two  
16 settlement parties actually held; is that right?

17 A. You cannot.

18 Q. Okay. So you don't know what Endo's true  
19 reservation date was in its settlement negotiations  
20 with Impax; correct?

21 A. I do not know what was in Endo's mind, so I do  
22 not know what the true reservation date was for Endo or  
23 anyone negotiating on behalf of Endo.

24 Q. Okay. So you don't know the earliest date of  
25 generic entry that Endo was willing to allow in its

1 settlement negotiations with Impax; correct?

2 A. I have no knowledge of what was going on in  
3 the minds of anyone at Endo with regard to that  
4 question.

5 Q. And you don't know Impax' true reservation  
6 date in its settlement negotiations with Endo;  
7 correct?

8 A. Again, I don't know what was going on in anyone  
9 at Impax' minds with regard to that.

10 Q. You don't know whether the parties might have  
11 been able to reach settlement with entry dates that  
12 Endo and Impax were willing to accept absent any  
13 payments; correct?

14 A. I don't know of any alternative agreement that  
15 I can be sure Endo and Impax would have entered into.  
16 That's correct.

17 Q. But you don't know if there weren't any either;  
18 correct?

19 A. That's correct.

20 Q. Now, I want to change subjects a bit,  
21 Dr. Addanki, and talk about market definition --

22 A. Okay.

23 Q. -- and market power. Okay?

24 Now, Dr. Addanki, you agree that the general  
25 question for defining a relevant product market is to

1 determine whether buyers switch products in response to  
2 a change in relative prices to make the change -- the  
3 price change unprofitable?

4 A. So the general idea is that we're trying to  
5 get a good handle on the set of economic substitutes  
6 that constrain the behavior, the competitive behavior,  
7 of any particular product or products. And when we say  
8 "competitively constrain," we mean prevent them from  
9 trying to exercise monopoly power.

10 And what that means, in turn, is that we are  
11 looking to alternatives to which customers would turn  
12 in the event of a price increase.

13 Q. Now, products can compete with each other but  
14 not be in the same relevant product market; correct?

15 A. It's certainly true that you could have some  
16 low level of competition with products outside of a  
17 relevant market and products within a relevant market.  
18 That's true. But you wouldn't think of them as being  
19 competitive constraints, the products outside the  
20 relevant market.

21 Q. Because you're looking at the closeness of  
22 competition with respect to products being in or  
23 outside the relevant product market; is that right?

24 A. You're looking at the effectiveness of the  
25 competition in constraining any attempted exercise of

1 monopoly power.

2 Q. Right.

3 And products can actually take sales from each  
4 other and still not be in the same relevant market;  
5 correct?

6 A. If products take sales from each other in  
7 response to relative price changes, unless there was  
8 some sort of de minimis competition you're talking  
9 about, you would expect that those products would be  
10 constraining each other.

11 Q. But it's possible that products could take  
12 sales from each other and still not be in the same  
13 relevant product market; correct?

14 A. Again, when you say "take sales from each  
15 other," do you mean in response to relative price  
16 changes?

17 Q. Yes.

18 A. I would say that if products actually compete  
19 with one another on price, and market outcomes depend  
20 on the relative prices, and you can measure that,  
21 typically you would see they were in the same market,  
22 but maybe I'm missing something in your hypothetical.

23 Q. No. I'm just trying -- I'm not giving you a  
24 hypothetical. I'm asking you a question, and I'm  
25 trying to understand your opinion, so maybe the answer

1 is no, you don't agree that products can be in the  
2 same -- let me start that over -- so maybe the answer  
3 is -- let me start that over.

4           Am I correct that your opinion is that  
5 products cannot take sales from each other and not be  
6 in the same relevant market?

7           Is that too many negatives?

8       A.   That's too many negatives.

9           JUDGE CHAPPELL:   Too many negatives.

10          BY MR. LOUGHLIN:

11       Q.   I'll start over.   Perhaps -- let me ask you  
12 this question.

13           Do you agree that products can take sales from  
14 each other and not be in the same relevant product  
15 market?

16       A.   I suppose it's hypothetically possible that  
17 there's products taking sales from one another in  
18 response to relative price changes and yet the  
19 products don't serve as any kind of competitive  
20 constraints.   I wouldn't rule it out, but I don't think  
21 of it as a common occurrence.

22       Q.   Okay.   Now, you agree, I believe, Dr. Addanki,  
23 that when you are determining the candidate set for  
24 your relevant product market, you start with the  
25 narrowest competitive set and then you expand, correct,



1 if necessary; correct?

2       A. I'm not sure what you mean by the narrowest  
3 set. You consider the products that are the  
4 meaningful competitive constraints on the product or  
5 products at issue.

6       Q. Okay. Well, let me -- I'll re-ask it.

7       A. Okay.

8       Q. Do you agree, when you're looking at your  
9 candidate relevant market or when you're trying to look  
10 at the competitive set, that you start with the  
11 narrowest set and then expand? Do you agree with that  
12 principle?

13       A. Certainly you would be looking for products  
14 that were more powerful competitive constraints, and  
15 you would look to those before you started looking to  
16 less powerful competitive constraints. And if that's  
17 what you mean by "narrow," then yes. It depends on the  
18 strength of their competitive constraining effect.

19       Q. You're looking with the set that -- you start  
20 with the set that represents the closest competitive  
21 interactions for the products at issue; correct?

22       A. You're starting with the set that provides the  
23 most powerful competitive constraints. That's what  
24 you're doing.

25               So -- and then you go outward from there.

1 Q. You go outward from there if necessary.

2 A. Right.

3 Q. And here, you started with oral -- excuse me.

4 Let me start that over -- you started with long-acting  
5 opioids as your candidate set; correct?

6 A. No. I started with Opana ER and then looked to  
7 what was closely constraining Opana ER and found that  
8 it was the set of long-acting opioids that was  
9 constraining Opana ER.

10 Q. When you say you started with Opana ER, what do  
11 you mean?

12 A. I mean the nucleus for the analysis is  
13 Opana ER.

14 Q. The branded Opana ER?

15 A. Well, the product whose monopoly power I'm  
16 evaluating.

17 Q. And then you took Opana ER and then you  
18 included in your set other long-acting opioid products;  
19 correct?

20 A. Those were the other products that were  
21 constraining Opana ER. That's correct.

22 Q. So you started with Opana ER and other  
23 long-acting opioids, and that's where you ended up with  
24 your product market definition; correct?

25 A. No. I started with Opana ER, and I ended up

1 with a set of long-acting opioids.

2 Q. What did you do to evaluate Opana ER as a  
3 relevant product market? And where is that in the  
4 report?

5 A. So the question of whether Opana ER is a  
6 product market unto itself was quickly disposed of the  
7 moment you start looking at what these products are,  
8 how they're used, what they do and how they compete, so  
9 there was never really any meaningful question of  
10 Opana ER being a relevant market by itself.

11 Q. Okay. So let me just ask, what candidate set  
12 did you start with here?

13 A. I started with Opana ER.

14 Q. Okay. Can I ask you to turn to your  
15 deposition.

16 A. Okay.

17 Q. Specifically paragraph 138 --

18 A. 138.

19 Q. -- page 138.

20 A. Okay.

21 Q. Do you see at line 2 I'm asking you a question  
22 about the candidate relevant market?

23 Do you see that?

24 A. Yes.

25 Q. And then at line 11, I ask you, "What set did

1 you start with here?"

2           And you answered, "So, I would say the  
3 starting point here was oral long-acting opioids, but  
4 frankly, there was a fair amount of information about  
5 the transdermal, as well. So, it wasn't clear whether,  
6 in fact, oral was a particularly appropriate sort of  
7 closest set even though, to a layperson, it might have  
8 seemed that way."

9           That was your testimony in the deposition,  
10 wasn't it, Dr. Addanki?

11         A. Yes, it was.

12         Q. And you chose your candidate set of long-acting  
13 opioid drugs by looking at Endo's business documents;  
14 right?

15         A. Not only. I've described all of the things  
16 that I looked at. But certainly Endo's business  
17 documents played a significant role.

18         Q. And Endo's business documents discuss other  
19 products that you did not include in your competitive  
20 set; correct?

21         A. They may have.

22         Q. Now, in general, when looking at relative  
23 changes in price for purposes of defining a market,  
24 economists look at small price changes; right?

25         A. So there is a particular thought experiment

1 that's contained in the Horizontal Merger Guidelines  
2 put out by the FTC and the DOJ which invites the  
3 analyst to think about what would happen in the event  
4 of a small, significant, nontransitory increase in  
5 price and proceeds down that road. And there are  
6 certainly circumstances in which that is possible to  
7 implement in practice. There's plenty of other  
8 situations where it's just not possible to implement in  
9 practice.

10           And so you take whatever evidence you can find  
11 that informs your question about economic  
12 substitutability, so the answer to your question, the  
13 complete answer to your question, is no. You take  
14 whatever you can find. If you can actually conduct an  
15 experiment with a small, significant, nontransitory  
16 price increase, you do. But sometimes you can't.  
17 Often you can't.

18       Q. Okay. And here, you were not able to  
19 determine whether the price changes that affected  
20 changes in formulary status that you discuss in your  
21 report, whether those were small price changes;  
22 correct?

23       A. I did not go about doing that analysis. But  
24 certainly a 30 percent to 38 percent change in rebate  
25 would probably translate into a net price that fell

1 within a SSNIP category, a net price change that fell  
2 into a SSNIP category.

3 Q. But you don't know that because you don't know  
4 what the price was before the discount was offered;  
5 correct?

6 A. It certainly seems susceptible to knowing and  
7 one could go back and look. I didn't do the analysis,  
8 but one could go back and look.

9 Q. That's my point.

10 You didn't look at whether or not any of the  
11 price changes that you discuss relating to formulary  
12 changes constituted a small price change; right?

13 A. I didn't carry out a SSNIP analysis. I think  
14 your economist and I agree that calculating  
15 cross-elasticities is actually in practice very hard to  
16 do in pharmaceuticals for a bunch of reasons I think we  
17 all agree upon.

18 But I'm just responding to your question that,  
19 no, some of those price changes were in fact small  
20 price changes, nontransitory price changes, and I  
21 wouldn't think that the 30 to 38 percent change in  
22 rebate would actually be anything other than a SSNIP,  
23 frankly.

24 Q. But you don't know whether getting to the  
25 30 percent that you just discussed amounted to a change

1 about in the range of a SSNIP; correct?

2 A. Well, I don't know where it went to 30 from.

3 If it had gone to 30 from 25, that would have been a

4 SSNIP, too. I just haven't done that analysis. But

5 certainly these changes don't seem out of the realm of

6 a SSNIP.

7 Q. But you don't know that because you haven't

8 done the analysis; correct?

9 A. Well, I haven't done a SSNIP analysis, that's

10 correct. But the difference between a 30 and 38

11 percent rebate I can tell you is a SSNIP.

12 Q. Okay. I'm going to ask you again. Okay?

13 You don't know whether getting to that

14 30 percent amounted to a price change in a window or a

15 range of a SSNIP; correct?

16 A. So not the 30 to 38 but wherever it was to 30?

17 Is that what you're asking?

18 Q. Yeah, that's my --

19 A. I don't know because I don't know what it was

20 before.

21 Q. Now, yesterday do you recall that you discussed

22 Exhibits 9I and J in your report?

23 A. Yes, I do.

24 Q. Let's take a look at Exhibit 9I.

25 A. I have it.

1 Q. And this is your chart showing changes in  
2 formulary status for Opana ER relative to other branded  
3 long-acting opioid products; right?

4 A. Right.

5 Q. And this one is for commercial plans.

6 A. That's correct.

7 Q. Now, you don't know what caused the changes in  
8 formulary status that you represent in Exhibit 9I;  
9 correct?

10 A. I do not. In other words, I don't know for  
11 each formulary that changed all the factors that  
12 prompted the change. I do not.

13 Q. Right.

14 And you don't know if there were -- let me  
15 start that over.

16 Assuming that the formulary status changes were  
17 the result of price changes, you don't know what those  
18 differences in prices were; correct?

19 A. I do not.

20 Q. You don't know what the differences in any  
21 rebates were; correct?

22 A. Well, I know some of them, but I don't know all  
23 of them.

24 Q. For purposes of this chart you know what the  
25 rebates were?



1 A. Well, on commercial plans, I don't recall  
2 actually if I've seen rebate terms specifically for  
3 commercial plans, so I don't remember.

4 Q. And you don't know what differences in copays  
5 there were that are referenced in this chart; correct,  
6 if any?

7 A. No. I have the data on the formulary  
8 treatment, so I believe I do have that information.

9 Q. Well, can you tell us then what the changes  
10 were in the --

11 A. Not from that bar chart, no.

12 Q. Oh, okay.

13 You don't know what the effects on quantities  
14 of Opana ER sold were as a result of any of these  
15 formulary changes; correct?

16 A. Again, when you say any of them, I'm not sure  
17 what I've reviewed in the documents. I certainly  
18 wouldn't know what the changes were for all of them  
19 because I don't have the data.

20 Q. Do you know what the quantities -- the  
21 difference -- what the effects on quantities of  
22 Opana ER sold were as a result of any of the formulary  
23 changes that you reflect in Exhibit 9I?

24 A. That's what I don't recall. I recall seeing  
25 some information on the changes in volumes associated

1 with formulary changes, but beyond that general  
2 recollection, I don't remember anything specific.

3 Q. And the same is true -- I could ask all those  
4 same questions about Exhibit 9J about Medicare plans  
5 and I'd get the same answers; correct?

6 A. With the Medicare plans I actually have  
7 specific information about plans that I've cited in my  
8 report. As to whether there were volume changes  
9 associated with that that I've seen, I don't recall.

10 Q. Now, Dr. Addanki, in connection with doing your  
11 market definition analysis, you didn't consider the  
12 conduct being alleged in this case; correct?

13 A. My question was, was there monopoly power  
14 possessed by and being exercised by Opana ER at the  
15 time of the settlement, so -- and that was a question  
16 that I could address independently of anything else.

17 Q. So the answer to my question is yes, I'm  
18 correct?

19 A. That's correct.

20 Q. And you don't think the alleged conduct is  
21 relevant to relevant product market definition;  
22 correct?

23 A. Well, it's -- it's -- it sets the predicate  
24 for why you're doing this in the first place. But  
25 beyond that, the question of whether a particular

1 product enjoyed monopoly power or not stands on its  
2 own. We can address that question and answer it.

3 Q. In fact, when you are assessing monopoly power,  
4 it doesn't matter what the market is; right?

5 A. Well, ultimately you're assessing monopoly  
6 power in the context of a market, so I don't know that  
7 I'd agree with that.

8 Q. Okay. Well, let's turn to your deposition.

9 A. Okay.

10 Q. Page 146.

11 And looking down at the bottom, line 21.

12 Do you have it, Dr. Addanki?

13 A. Yes.

14 Q. My question was: "So, when you're assessing  
15 monopoly power, it doesn't matter what the market is?"

16 And you said, "No. If you want to assess  
17 whether Opana ER had monopoly power in 2010 at the time  
18 of the agreement, you can do that exercise and market  
19 definition as one step in that."

20 Do you see that?

21 A. Yes.

22 Q. That was your testimony?

23 A. My testimony was that I was disagreeing, that  
24 if you want to assess whether Opana ER had monopoly  
25 power at the time of the agreement, you can do that

1 exercise combined with a market definition exercise as  
2 one thing.

3 Q. Now, yesterday, when you were discussing market  
4 definition, one of the pieces of evidence you relied  
5 upon was CX 1106.

6 Do you recall that?

7 A. I haven't memorized exhibit numbers. I'm  
8 sorry.

9 Q. Okay. Well, let's put CX 1106 up on the  
10 screen.

11 I think you'll find it in your black binder  
12 that you got from respondent's counsel. I don't have  
13 it in my binder, Dr. Addanki. You're welcome to look  
14 at it on the screen or in the binder if you prefer.

15 A. Do you know what tab it is in the black  
16 binder?

17 Q. I'd have to look that up.

18 It's tab 4.

19 A. 4. Thank you.

20 I have it.

21 Q. And CX 1106 is an e-mail from Demir Bingol of  
22 Endo along with a PowerPoint presentation.

23 Do you see that?

24 A. Right.

25 Q. And it's from July 2009.

1 Do you see that?

2 A. Yes.

3 Q. Could I ask you to turn to page CX 1106-005.

4 A. 005. I have it.

5 Q. Do you see that there's a column labeled  
6 Event?

7 A. Right.

8 Q. And the third row under that column says,  
9 "Generic Opana ER may not be available until early to  
10 mid-2011."

11 Do you see that?

12 A. Yes.

13 Q. And then if you go over to the next column in  
14 that same row, the column headed Key  
15 Learning/Implication, do you see that?

16 A. Yes.

17 Q. The key learning/implication of generic  
18 Opana ER may not be available until early to mid-2011  
19 says -- the first bullet says, "Each month that  
20 generics are delayed beyond June 2010 is worth about  
21 \$20 million in net sales per month."

22 Do you see that?

23 A. I do.

24 Q. Now, you didn't discuss that portion of  
25 CX 1106 in your market definition section of your

1 report; correct, sir?

2 A. I did not, no.

3 Q. Dr. Addanki, when you're assessing the relevant  
4 market, the time period that is relevant is the time of  
5 settlement; right?

6 A. For a case of this nature, when you're  
7 assessing the relevant market, that's correct.

8 Q. Dr. Addanki, do you recall yesterday  
9 testifying that you relied on Dr. Michna's and  
10 Dr. Savage's testimony in forming your opinion on  
11 market definition?

12 A. I certainly considered their opinions as  
13 clinicians for the clinical part of my opinions, as  
14 well as when they discussed switching for the reaction  
15 to the idea that switching costs were prohibitive.  
16 Other than that, I relied on them for very little that  
17 I can remember.

18 Q. And you're aware that Dr. Michna is an expert  
19 that was hired by respondent; correct?

20 A. That's correct.

21 Q. And you're aware that Dr. Savage is an expert  
22 that was hired by complaint counsel; correct?

23 A. Yes.

24 Q. Could I ask you to turn to your report,  
25 RX 547.94 -- excuse me -- 0094.

1 A. I have it.

2 Q. And this is a page from your materials  
3 considered list?

4 A. Yes.

5 Q. You can turn to the first page if you want  
6 to -- prior page if you want to verify that?

7 A. I have it.

8 Q. Under Expert Reports, you don't list  
9 Dr. Savage's report, do you?

10 A. I did not, no.

11 Q. Dr. Addanki, now, you believe that there are  
12 two ways that the settlement benefited consumers in  
13 this case; right?

14 A. I'm not sure I would express it that way.  
15 Unless you're referring to some specific sentence, I  
16 think it benefited customers -- consumers by having  
17 entry occur before it might have but for the  
18 settlement, entry by Impax.

19 Q. Right.

20 I think you expressed, at least in your  
21 deposition, that one way that you believe the  
22 settlement benefited consumers was that it allowed  
23 entry earlier than you believe would have occurred  
24 under continued litigation; correct?

25 A. That's correct.

1 Q. And the other is that Impax got a license to  
2 patents that came later in time that covered Opana ER;  
3 is that right?

4 A. No. I think my opinion is that -- and I think  
5 this is what I've expressed -- that was part of the  
6 reason that Impax was able to enter notwithstanding  
7 the subsequent patent litigation filed by Endo.

8 I think I've expressed the opinion in my  
9 deposition that it's possible that the resolutions  
10 that have occurred to date of patent litigation  
11 following on the original patent litigation here that  
12 resulted in Actavis, the other generic, being  
13 enjoined, leaving Impax the only supplier of original  
14 Opana ER, actually oxymorphone ER, that may be viewed  
15 as a benefit as well, and that's over and above the  
16 entry date issue I talked about.

17 Q. But there aren't any others that you've  
18 expressed in your report; correct?

19 A. I believe not. That's right.

20 Q. Okay. Now, Dr. Addanki, if you're right that  
21 patent litigation, had it continued between Endo and  
22 Impax, would not have concluded until sometime after  
23 January 1, 2013, there was no reason for Endo to settle  
24 at all; right?

25 A. Somewhat like the lottery ticket I bought that



1 didn't win, I should never have bought it, Endo did not  
2 know at the time of the settlement what Endo knew --  
3 knows now. Impax didn't know at the time of the  
4 settlement what Impax knows now.

5 Q. Okay. And similarly, you don't know what would  
6 have happened in the patent litigation between Impax  
7 and Endo if they didn't settle; correct?

8 A. Do you mean who would have won?

9 Q. Yes.

10 A. I don't know.

11 Q. You don't know, for example, if Endo's patents  
12 would have been found invalid; correct?

13 A. We're talking about the patents at issue in the  
14 original lawsuit.

15 Q. Yes.

16 A. Which subsequently expired.

17 Q. Correct.

18 A. I don't know.

19 Q. And for example, if the Endo patents at issue  
20 in the Impax-Endo patent litigation were found  
21 invalid, you don't know whether that would have  
22 affected courts' views of other patents that Endo got  
23 later, do you?

24 A. I do not.

25 Q. So what actually happened in the real world may

1 be different what would have happened in a but-for  
2 world with no settlement; correct?

3 A. What happened -- the events that took place in  
4 the real world give us the best possible information  
5 that we have at our disposal about what would have  
6 happened in a but-for world, which was different only  
7 in some respects from that real world.

8 So yes, there's things we don't know about the  
9 but-for world, but our best guide to it is still the  
10 real world.

11 Q. Sure.

12 But things could have been different in the  
13 but-for world had they not settled; correct?

14 A. That's -- that's sort of a tautological  
15 question. I suppose it's possible.

16 Q. Now, Dr. Addanki, you discussed this morning  
17 your opinions on launching at risk. Do you recall  
18 that?

19 A. I do.

20 Q. In your report, you did not assess how often  
21 generics that launch at risk are found liable for  
22 patent infringement later, did you?

23 A. I did not.

24 Q. And you did not assess in your report how often  
25 generics that launch at risk that are found liable

1 actually end up having to pay infringement damages, did  
2 you?

3 A. I did not.

4 Q. And you didn't assess the likelihood that  
5 Impax would have launched at risk in this case;  
6 correct?

7 A. I did not come up with a probability, no.

8 Q. You understood that Impax' position in this  
9 lawsuit was that it would not launch at risk; correct?

10 A. That's my understanding, yes.

11 Q. And you took that assumption and you assumed  
12 the truth of it; correct?

13 A. Well, I examined whether it made economic  
14 sense for a company in Impax' position to have that  
15 view, and it did, but yes, I assumed that it would  
16 not.

17 Q. And you didn't consider the interrogatory  
18 response that Impax provided in this case listing the  
19 launches-at-risk decisions that it has made; correct?

20 A. I'm certainly aware of those launches and have  
21 understood the circumstances of those launches, so they  
22 were not germane to the particular situation here  
23 because those launches took place in different  
24 circumstances.

25 Q. Where did you get the understanding about

1 Impax' launches or its launch decisions at risk that  
2 you just referred to, Dr. Addanki?

3 A. From -- I don't recall the specifics, but from  
4 review of Impax' activities in the past.

5 Q. Okay. Let's take a look at your report again.

6 A. Okay.

7 Q. Let's go back to RX 547.

8 A. Okay.

9 Q. .0093.

10 A. Okay.

11 Q. Again, this is your documents considered list?

12 A. Yes.

13 Q. Do you see anywhere on this list anything  
14 indicating that you looked at the interrogatory  
15 response that Impax provided in this case listing the  
16 launch-at-risk decisions that it's made?

17 A. If it would be called out as an interrogatory  
18 response and not take some other form, that's easy  
19 enough to check. But I wasn't suggesting that I was  
20 familiar with the interrogatory response. I just mean  
21 that I was aware of Impax' handful of or couple of  
22 launches at risk. I knew what the circumstances were  
23 at the time that I looked. That's what I testified to  
24 just now.

25 I don't know if I ever looked at an

1 interrogatory response or not.

2 Q. That's my question.

3 Did you look at it in forming your opinions in  
4 this case?

5 A. I just don't recall.

6 Q. And you don't see it in your materials  
7 considered list; right?

8 A. Again, if it would be titled an interrogatory  
9 response, I would assume it will be in court documents,  
10 and I don't see it.

11 Q. Well, I don't know how it would be titled.  
12 This is your report, sir.

13 Can you look at it and tell me whether it's in  
14 there or not?

15 A. Not beyond what I just testified to, which is,  
16 if it is listed under that title, it would be in court  
17 documents, and I don't see it there.

18 Q. Okay. And you don't recall looking at the  
19 letters of intent that Impax was getting from customers  
20 to purchase generic Opana ER from Impax upon launch in  
21 June of 2010; correct?

22 A. Again, I don't recall if I've seen those or  
23 not.

24 Q. Now, can I ask you to turn to page 69 of your  
25 report.

1 A. Okay.

2 Q. That is -- begins at RX 547.0036.

3 A. I have it.

4 Q. Oh, I'm sorry. No. I have that wrong. I  
5 apologize.

6 Page 69, paragraph 137, RX 547.0073. I  
7 apologize, Dr. Addanki.

8 A. I have it.

9 Q. Do you see you say, in paragraph 137, "I  
10 understand that Impax personnel have stated that Impax  
11 would not have launched its generic versions of  
12 original Opana ER before final adjudication of the  
13 patent litigation"?

14 Do you see that?

15 A. I do.

16 Q. And then you refer to Dr. Larry Hsu.

17 Do you see that?

18 A. Yes.

19 Q. You say, "For example, Dr. Larry Hsu, former  
20 CEO of Impax, testified that Impax had not made a  
21 decision to launch its generic versions of original  
22 Opana ER at risk."

23 Do you see that?

24 A. Yes.

25 Q. That doesn't tell you whether or not Impax

1 would have launched at risk; correct?

2 A. Well, I'm not in the position to make any  
3 factual determination about what would have happened.  
4 That's not my job. I'm just pointing out that -- the  
5 information that I was aware of pertinent to that  
6 question.

7 Q. Let me ask it this way then. Okay?

8 You state, in the first sentence of  
9 paragraph 137, "that Impax personnel have stated that  
10 Impax would not have launched its generic versions of  
11 original Opana ER before final adjudication of the  
12 patent litigation."

13 Do you see that?

14 A. Right.

15 Q. And then you state Dr. Hsu and you would say  
16 that he testified that Impax had not made a decision to  
17 launch its generic versions of original Opana ER at  
18 risk; right?

19 A. Right.

20 Q. That is not a statement that they would not  
21 have launched.

22 A. No, it's not.

23 Q. And then you refer to  
24 Dr. Carole Sue Ben-Maimon.

25 Do you see that?

1 A. Yes.

2 Q. And you say she's the former president of the  
3 generic division at Impax and she testified that Impax  
4 was incredibly conservative and at-risk launches  
5 associated with any potential liability would have gone  
6 to the board of directors for approval; correct?

7 A. Yes.

8 Q. That's not a statement that Impax would not  
9 have launched, is it?

10 A. It's not.

11 Q. And then you cite or you refer to  
12 Margaret Snowden.

13 Do you see that?

14 A. Yes.

15 Q. Vice president of intellectual property  
16 litigation and licensing at Impax. And you say she  
17 testified that, to her knowledge, Impax' management  
18 team had not recommended to the board of directors to  
19 launch its generic versions of original Opana ER at  
20 risk.

21 Do you see that?

22 A. Right.

23 Q. That's not a statement that Impax would not  
24 have launched at risk either, is it?

25 A. No.



1 Q. And then you refer to Theodore Smolenski.

2 Do you see that?

3 A. I do.

4 Q. The former senior director of portfolio  
5 management and strategy at Impax. And you say he  
6 recalled that, at the time of the settlement, Impax had  
7 not made any decision to launch that product -- the  
8 product on a certain date.

9 Do you see that?

10 A. I do.

11 Q. That's not a statement that Impax would not  
12 have launched at risk, is it?

13 A. That's correct.

14 Q. And then you say Todd Engle, vice president of  
15 sales and marketing at generics -- at Impax' generic  
16 division, testified that he did not think Impax would  
17 have launched at risk upon the FDA approval because  
18 Impax is pretty risk-averse.

19 Do you see that?

20 A. I do.

21 Q. That's not a statement that Impax would not  
22 have launched at risk either, is it?

23 A. It's not.

24 MR. LOUGHLIN: I have no further questions,  
25 Your Honor.

1 JUDGE CHAPPELL: Will there be any redirect?

2 MR. McINTYRE: Yes, Your Honor. Probably about  
3 20 minutes or so.

4 JUDGE CHAPPELL: Let's go.

5 - - - - -

6 REDIRECT EXAMINATION

7 BY MR. McINTYRE:

8 Q. Dr. Addanki, at the beginning of Mr. Loughlin's  
9 cross-examination, do you recall that he posed a number  
10 of hypotheticals to you in which he asked you to assume  
11 that we knew the brand company's and the generic  
12 company's reservation dates?

13 A. Yes.

14 Q. And as I believe you testified later, we don't  
15 know what Impax' reservation date here was, do we?

16 A. We do not.

17 Q. And do we know Endo's?

18 A. No, we do not.

19 Q. Dr. Addanki, did you review the reports and  
20 testimony that have been offered by Dr. Bazerman, the  
21 FTC's negotiation expert?

22 A. Yes.

23 Q. And do you recall whether he identified Impax'  
24 reservation date?

25 A. I don't think he knew what Impax' reservation

1 dates per se were.

2 Q. And do you recall whether he identified what  
3 Endo's reservation date was?

4 A. Again, I don't believe he could identify a  
5 specific date.

6 Q. Dr. Addanki, you testified a moment ago that  
7 you did not calculate an expected value of consumer  
8 benefits under the but-for world of continued  
9 litigation here because you didn't have to.

10 Can you explain why that was not necessary in  
11 this case?

12 A. I didn't have to, Your Honor, because of  
13 exactly as I testified when response to the question in  
14 my direct testimony about whether my opinion depended  
15 at all upon the probabilities of the outcomes of  
16 litigation. It didn't because, regardless of who  
17 would have won the litigation ultimately, it was the  
18 process of being involved in the litigation and having  
19 to consider launching at risk that informed my opinion.  
20 And had Impax been unwilling to launch at risk, it  
21 would not have launched before January 1, 2013.

22 Regardless of what the probabilities were in  
23 the litigation.

24 Q. And so is your opinion that the Impax-Endo  
25 settlement agreement was not anticompetitive -- is that

1 opinion dependent on recent patent court rulings from  
2 2016 or 2017?

3 A. It is not.

4 Q. Now, counsel for the FTC reviewed with you an  
5 exhibit that was marked as CX 3038. If you want to  
6 look at it, it's in your white binder.

7 A. I have it.

8 Q. And the date of this e-mail was April 2, 2010;  
9 correct?

10 A. That's correct.

11 Q. That was before the settlement was entered?

12 A. Yes.

13 Q. Do you recall at this point in time whether  
14 Endo had yet submitted its NDA for reformulated  
15 Opana ER?

16 A. It had not.

17 Q. And this e-mail, it discusses various dates;  
18 correct?

19 A. Yes.

20 Q. And the first line says, "Product Launch -  
21 Schedule indicates March 2011, but could range from  
22 December 10 to June 11."

23 Do you see that?

24 A. I do.

25 Q. Do you recall in the real world when Endo

1 received NDA approval for reformulated Opana ER?

2 A. I believe it was late in 2011.

3 Q. And before Endo received NDA approval, was  
4 there any -- did it have the ability to launch  
5 reformulated Opana ER?

6 A. No, it did not.

7 Q. And counsel for the FTC also reviewed with you  
8 a document that's been marked as CX 2738, and this is  
9 also in your white binder.

10 A. I have it.

11 Q. And for example, if you look at slide 9, it  
12 talks about -- I believe you went over this with  
13 Mr. Loughlin -- it talks about various dates  
14 associated -- various potential dates associated with  
15 when wholesale stocking might begin?

16 A. Yes.

17 Q. Do you recall whether the Endo credit formula  
18 was premised in any way on wholesale stocking?

19 A. No, it was not.

20 Q. Was the Endo credit based on actual  
21 prescription sales?

22 A. Yes. On dispensed prescriptions.

23 Q. And so even if Endo had begun stocking,  
24 wholesale stocking of a reformulated product, is it  
25 possible that prescriptions for the original drug would

1 still be being dispensed?

2 A. Yes.

3 Q. We talked a bit about a SSNIP analysis.

4 To your knowledge, did Dr. Noll calculate any  
5 cross-elasticities between Opana ER and any other  
6 long-acting opioids?

7 A. He did not. Not to my knowledge.

8 Q. And did Dr. Noll perform a mathematical SSNIP  
9 test?

10 A. To my knowledge, he did not.

11 Q. And I believe you may have covered this during  
12 your cross-examination, but you mentioned that the  
13 change in rebate year over year from 30 percent to  
14 38 percent that was offered to an insurance company,  
15 it -- did I get this right, that you testified that  
16 that change in rebate would be a SSNIP?

17 A. It would.

18 Q. And so what does that tell you?

19 A. By itself, it doesn't tell you anything. It  
20 does tell you that there was a change in rebate terms  
21 which was a small enough price increase that it was  
22 something that was entered into, it was proposed and  
23 accepted, which tells me that even small price changes  
24 were competitively potentially significant.

25 Q. And when we discussed the UPMC study yesterday,

1 does that formula change described in that study --  
2 would that represent a change in the relative price  
3 between various long-acting opioids?

4 MR. LOUGHLIN: Objection. Beyond the scope of  
5 cross, Your Honor. I didn't discuss the UPMC study.

6 MR. McINTYRE: You discussed extensively on  
7 cross SSNIP analysis, changes in relative price. The  
8 UPMC study that we did discuss yesterday is directly  
9 probative of changes in relative -- consumer responses  
10 to changes in relative price.

11 JUDGE CHAPPELL: I heard plenty on cross about  
12 SSNIP, but I didn't hear Mr. Loughlin relate it to this  
13 insurance study.

14 MR. McINTYRE: That's true, Your Honor.  
15 Mr. Loughlin did ask, as I recall, a number of  
16 questions to Dr. Addanki about responses to changes in  
17 the relative price, and I just want to confirm with the  
18 witness whether he has seen evidence of changes --  
19 consumer responses to changes in relative price in this  
20 case.

21 JUDGE CHAPPELL: You can ask him that question.  
22 That's more foundational.

23 MR. McINTYRE: Okay.

24 JUDGE CHAPPELL: The current question, the  
25 objection is sustained.

1 MR. McINTYRE: Okay. Understood, Your Honor.

2 BY MR. McINTYRE:

3 Q. Dr. Addanki, in your review of the record, did  
4 you see any evidence that there were changes in --  
5 consumer changes in the purchasing decisions in  
6 response to changes in relative price?

7 A. As I testified earlier, I did see that there  
8 were plenty of changes in relative prices through the  
9 formulary changes. I would not expect that you would  
10 see that activity unless there were going to be volume  
11 changes, perceptible volume changes, in response to  
12 those price changes, because that's just the way firms  
13 operate.

14 And I testified that I wasn't aware of -- I  
15 wasn't able to track through or I don't recall  
16 tracking through, other than the UPMC example of a  
17 formulary change, what happened to actual volumes.  
18 But the UPMC example does tell us, because UPMC  
19 studied it, what happened to volumes in the wake of a  
20 formulary change.

21 Q. And can you remind us what happened?

22 A. Well, when OxyContin was taken off the  
23 formulary, OxyContin patients were switched,  
24 80 percent or so, to a different product, either  
25 opioid or otherwise, so -- and it resulted in a cost



1 saving, but there was substantial volume change in  
2 response to a formulary change. And the formulary  
3 changes we're talking about more generally are in  
4 response to price changes, so UPMC tells us that indeed  
5 price changes lead to formulary changes -- pardon me --  
6 lead to volume changes. Excuse me.

7 Q. Thank you.

8 Now, if you could please turn to your report.  
9 This is RX 547. And we're going to be looking  
10 specifically at RX 547.0094.

11 A. Yes.

12 Q. And this again is from the documents considered  
13 list that is attached to your report; correct?

14 A. Yes.

15 Q. And looking under the heading that says  
16 "Testimony," do you see that?

17 A. Yes.

18 Q. And it says here that you reviewed the  
19 testimony of Carole Sue Ben-Maimon and the accompanying  
20 exhibits?

21 A. Yes.

22 Q. And that you also reviewed the deposition of  
23 Margaret Snowden and the accompanying exhibits?

24 A. Yes.

25 MR. McINTYRE: Your Honor, may I briefly confer

1 with counsel?

2 JUDGE CHAPPELL: Go ahead.

3 (Pause in the proceedings.)

4 BY MR. McINTYRE:

5 Q. Dr. Addanki, do you recall whether  
6 Ms. Ben-Maimon testified about Impax' practices with  
7 respect to launches at risk?

8 A. Yes. She did.

9 Q. And do you recall whether Ms. Snowden testified  
10 about Impax' practices with respect to launching at  
11 risk?

12 A. Yes, she did.

13 Q. Do you recall whether she was testified -- I'm  
14 sorry -- whether she was questioned extensively about  
15 the interrogatory responses that Impax offered in this  
16 case?

17 A. That's what I don't recall specifically, and  
18 that may have well been where I came across the  
19 information about the launches at risk.

20 Q. But you did in fact review her testimony and  
21 the exhibits to it.

22 A. Yes, I did.

23 MR. McINTYRE: No further questions,  
24 Your Honor.

25 JUDGE CHAPPELL: Recross?

1 MR. LOUGHLIN: Yes, Your Honor.

2 - - - - -

3 RECROSS-EXAMINATION

4 BY MR. LOUGHLIN:

5 Q. Dr. Addanki, what was the price change at issue  
6 in the UPMC study that you talked about in your direct  
7 examination and with Mr. McIntyre just now?

8 A. The price change we're talking about there, I  
9 don't know what the price change was. I don't know if  
10 there were any change in rebate terms associated with  
11 that price change. What I see is the effects of the  
12 formulary change.

13 But as I testified earlier, we see a lot of  
14 formulary changes happening in response to price  
15 changes, and so we can, just by the chain of causation,  
16 satisfy ourselves that, indeed, for all the reasons I  
17 mentioned, that price changes will in fact lead to  
18 volume changes.

19 Q. Right.

20 But you don't know, in the UPMC example,  
21 whether the price change was large or small, correct,  
22 because you don't know what the price change was;  
23 right?

24 A. I don't.

25 JUDGE CHAPPELL: Hold on.

1 Have your next witness standing by.

2 MR. HASSI: Your Honor, candidly, when we went  
3 to the last break, they were asking about timing, and I  
4 conferred with counsel, and given the timing, I  
5 suggested they take lunch.

6 JUDGE CHAPPELL: You did. You suggested  
7 that.

8 MR. HASSI: I -- when they asked, I suggested  
9 it might be safe to take lunch, yes, Your Honor.

10 JUDGE CHAPPELL: You thought it was going to be  
11 safe.

12 MR. HASSI: I did think it might be safe,  
13 Your Honor. I apologize.

14 JUDGE CHAPPELL: Well, that doesn't tell me  
15 anything. When are they available?

16 MR. HASSI: They should be -- they should be  
17 available sometime within the next hour or 45 minutes  
18 or so. They left the building for lunch a little over  
19 an hour ago. I told them I would call them when we  
20 broke.

21 JUDGE CHAPPELL: Well, stand by.

22 Did you have any further questions?

23 MR. LOUGHLIN: No, Your Honor. I'm done.

24 JUDGE CHAPPELL: Anything further with this  
25 witness?

1 MR. McINTYRE: No, Your Honor.

2 JUDGE CHAPPELL: Thank you. You may stand  
3 down.

4 THE WITNESS: Thank you, sir.

5 JUDGE CHAPPELL: How much time to you need for  
6 this fact witness?

7 MR. HASSI: For the fact witness? I would  
8 guess about an hour on direct. I've never met him  
9 before, so I don't know how verbose he will be, but I  
10 would say probably about an hour.

11 JUDGE CHAPPELL: You have one fact witness,  
12 and then you're through for the day with your  
13 witnesses?

14 MR. HASSI: Yes, Your Honor.

15 JUDGE CHAPPELL: What's your level of  
16 confidence on Tuesday next week that you will finish?

17 MR. HASSI: Very high, Your Honor.

18 JUDGE CHAPPELL: How many witnesses?

19 MR. HASSI: Two fact witnesses, Your Honor, and  
20 both should be relatively -- relatively brief, subject  
21 to again the cross-examination.

22 JUDGE CHAPPELL: All right. We'll take our  
23 lunch break now.

24 MR. HASSI: Thank you, Your Honor.

25 JUDGE CHAPPELL: And we will reconvene at

1 2:15.

2 We're in recess.

3 (Whereupon, at 1:18 p.m., a lunch recess was  
4 taken.)

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25



1 A. Endo Ventures is the Irish subsidiary of  
2 Endo International. We're specifically responsible for  
3 management of the global supply chain for Endo.

4 Q. And what is your position with Endo Ventures?

5 A. I'm the president of Endo Ventures.

6 Q. And in that position, who do you report to?

7 A. I report to the chief operating officer of the  
8 company.

9 Q. When did you begin working at Endo?

10 A. May 2, 2005.

11 Q. And what was your title when you began working  
12 at Endo?

13 A. I was director of I believe it was scientific  
14 licensing at that point.

15 Q. And how long did you hold that position?

16 A. Several years. I don't remember the specifics.

17 Q. Do you recall what your position was in 2010?

18 A. 2010. I would have been the senior  
19 vice president of corporate development at that point.

20 Q. And can you briefly tell us what your  
21 responsibilities were as senior vice president of  
22 corporate development?

23 A. Sure. I would have been responsible for  
24 managing the team that evaluated deal opportunities, be  
25 they individual product licenses or company



1 acquisitions that we were looking at.

2 Q. And how long were you in that position as  
3 senior vice president of corporate development?

4 A. Approximately six years. I don't remember the  
5 start and end dates.

6 Q. When did you go to Endo Ventures?

7 A. I started formally there in March of 2015.

8 Q. Did you hold any positions at Endo between  
9 being SVP of corporate development and your current  
10 role as president of Endo Ventures?

11 A. Yes. I was the senior vice president of R&D  
12 strategy and operations, so I was basically the head of  
13 U.S. R&D for Endo.

14 Q. I'd like to back up a second and ask you to  
15 describe for us your educational background, please.

16 A. So I have a bachelor's degree in biochemistry  
17 and art history from Colby College in Maine.

18 I hold a Ph.D. in biochemistry and biophysics.  
19 It was changed to molecular and cellular biochemistry  
20 at the time that I graduated from the program.

21 I completed a postdoctoral fellowship in  
22 experimental therapeutics at Roswell Park Cancer  
23 Institute in Buffalo, New York.

24 Q. And you mentioned a Ph.D.

25 What was the topic of your Ph.D. dissertation?

1       A.  It was in the area of Parkinson's disease,  
2 looking at putative toxins that could have been  
3 causative agents within the disease, at least as far as  
4 it was understood at that time.

5       Q.  And on a high level -- you mentioned  
6 postdoctoral work -- could you describe what that work  
7 entailed.

8       A.  Sure.  We were looking at specifically trying  
9 to identify agents that would break DNA as therapeutic  
10 agents for oncology.  I was in a laboratory in the  
11 Department of Experimental Therapeutics, as I said, and  
12 we were trying to identify drugs that could be useful  
13 chemotherapeutics.

14      Q.  And after your postdoctoral studies, what did  
15 you do next?

16      A.  I went to work for what was Merck, Astra Merck,  
17 as a clinical program scientist at that time.

18      Q.  When you were at Endo -- well, strike that.

19             So it sounds like from your Ph.D. dissertation  
20 you have a background in Parkinson's disease; is that  
21 right?

22      A.  That would have been the area, yes, in which I  
23 did my research.

24      Q.  Did any of your colleagues likewise have a  
25 background in Parkinson's disease treatments?

1       A.  Yes.  My recollection is Dr. Kevin Pong, who  
2 reported to me, also had a significant amount of  
3 experience in that area.

4       Q.  And you said he reported to you.

5               What was his position at Endo in 2010?

6       A.  I don't remember his title specifically, but he  
7 would have been responsible for scientific license  
8 evaluation similar to what I did at the time when I  
9 joined the company in 2005.

10      Q.  Sir, you may be aware that this case is about a  
11 settlement agreement between Impax and Endo.

12               Were you involved at all in negotiating or  
13 drafting the settlement agreement in 2010 related to a  
14 patent litigation between Impax and Endo?

15      A.  No, I wasn't involved.

16      Q.  Were you aware that such a settlement was  
17 reached?

18      A.  Yes.

19      Q.  Are you familiar with any of the terms of the  
20 settlement?

21      A.  Vaguely.  But I don't remember the details and  
22 I wouldn't have been party, as I said, to the  
23 negotiation of it.

24      Q.  Now, during your time at Endo and specifically  
25 when you were SVP of corporate development, did Endo

1 enter into any pharmaceutical collaborations with other  
2 pharmaceutical companies?

3 A. Yes.

4 Q. On a high level, could you describe what kind  
5 of collaborations you entered into.

6 A. Goodness. Sorry. Could you be a bit more  
7 clear. Are you looking for acquisitions or what types  
8 of deals?

9 Q. Well, we're going to be talking about a  
10 co-promotion and development agreement in this case  
11 that I suspect you're familiar with.

12 Were there other deals like that that you  
13 entered into when you were at Endo?

14 A. There were some. There was a large variety of  
15 different deals. I wouldn't say there's any  
16 one-size-fits-all solution. We did many deals.

17 Q. And in your role as senior vice president of  
18 corporate development, what role would you have played  
19 in developing those deals?

20 A. So as indicated, I was responsible for  
21 managing the team that would have conducted the  
22 evaluation both on the scientific side, the commercial  
23 side, the financial side for the models, and for then  
24 working with the CEO and the board of directors to go  
25 through the approval process.

1 Q. When you were in that role, did in-licensing  
2 collaborations play any specific role at Endo?

3 A. Yes.

4 Q. And could you describe what role they played  
5 for Endo.

6 A. Endo historically has not had a research  
7 function. There is no molecule discovery per se, so  
8 anything that we brought into the company had to be  
9 acquired from the outside, so that would have been the  
10 purpose of the in-licensing.

11 Q. And do you do that -- well, strike that.

12 When you talk about in-licensing, can you  
13 describe what you mean by "in-licensing"?

14 A. So in-licensing specifically, in particular  
15 what we were trying to doing with it, would be to  
16 bring in a molecule or a technology that another  
17 company or individual or an institution would have had  
18 that hopefully was going to solve a problem that we  
19 were looking to solve, be it a gap in the portfolio or  
20 a particular type of product we were looking for.

21 Q. When you in-licensed a product or a molecule,  
22 was there any one stage of development that at which  
23 the in-licensing happened?

24 A. Could you be a bit more specific.

25 Q. Sure. I apologize.

1           We've heard in this trial that pharmaceutical  
2 products go through a development stage and different  
3 trials with the FDA, for example.

4           Is there any one stage where those deals take  
5 place or do they cut across the spectrum?

6       A.   The latter. I would say for Endo in particular  
7 they were across the spectrum.

8       Q.   Were there any -- can you give us some examples  
9 of products that Endo has in-licensed?

10      A.   Sure.

11           I think one of the more notable ones was a  
12 product called Belbuca that ultimately we brought in,  
13 we developed, we licensed it from a company, got it  
14 approved, commercialized it, so that would be one.

15           We've also done early-stage development deals  
16 as well where we've identified companies themselves  
17 that had molecules that were of interest to us because  
18 of the therapeutic area, but we had, as I said, no  
19 discovery pipeline ourselves in place, and so these  
20 were very early, very speculative agreements that we'd  
21 enter into.

22      Q.   Let me ask you about a couple of products in  
23 particular.

24           How did -- Endo in 2010 was selling Lidoderm;  
25 is that right?

1 A. Yes.

2 Q. How did Endo acquire the rights to sell  
3 Lido- -- or how did Endo develop Lidoderm?

4 A. So Endo actually licensed Lidoderm from a  
5 Japanese company called Teikoku, and this was in  
6 conjunction with the Hind family. Dr. Hind would have  
7 been the developer of this product, so my recollection  
8 is that in the late '90s is the time that Endo licensed  
9 this in. It was before I joined the company.

10 Q. This case centers around Opana ER.

11 Was Opana ER an in-licensing candidate?

12 A. So it's a bit more complicated answer.

13 So Endo had a previous -- had previously been  
14 responsible for making and selling oxymorphone, which  
15 is the underlying active ingredient in Opana ER. Endo  
16 had licensed a technology from a company called  
17 Penwest Pharmaceuticals and made what was the original  
18 version, if you will, of Opana ER and then subsequently  
19 did a license with Grünenthal in Germany to bring on a  
20 technology that was used to create a new formulation of  
21 Opana that became Opana ER and the one that was most  
22 recently in the market.

23 Q. I want to shift now to when you first joined  
24 the company in 2005.

25 And when you first joined Endo, were there any

1 particular therapeutic areas or types of products that  
2 Endo was focused on seeking pharmaceutical partners  
3 for?

4 A. I think, first of all, I have to caveat it by  
5 saying that it's never been that focused. There's  
6 been areas in general.

7 But in 2005, the areas of significant interest  
8 would have been pain, in particular, neurology, areas  
9 of movement disorders, Parkinson's disease being one  
10 of those, gastroenterology, and other areas where  
11 there are either compatible markets for the  
12 pharmaceutical sales force to sell products that would  
13 be complementary or where there was therapeutic  
14 overlap with the other products that we were  
15 developing.

16 Q. And you just described something as "compatible  
17 markets for the pharmaceutical sales force to sell  
18 products."

19 Can you explain what you mean by that?

20 A. Sure.

21 I'm prefaced by saying I'm not the commercial  
22 person, but as my commercial colleagues would have  
23 told me, there's call points that they go out to,  
24 certain physician populations that they go out to, and  
25 if they could have similar products in the bag that



1 might be of interest to those physicians, that would  
2 be, quote, a compatible call point.

3 Q. When you were in your role as senior  
4 vice president of corporate development, would those  
5 areas, pain, neurology, be relevant to the work you  
6 were doing in seeking out pharmaceutical collaboration  
7 partners?

8 A. Yes.

9 Q. By 2010, had the therapeutic areas that we were  
10 just talking about, pain, neurology -- had the  
11 company's focus shifted away from those areas?

12 A. Yes. There was a new CEO by that time, and his  
13 primary interest would have been the areas of urology,  
14 endocrinology and oncology. It's a bit more  
15 complicated than that, but that would have been the  
16 principal focus.

17 Q. Does that mean that Endo and its sales force  
18 had abandoned things like pain and its adjacencies,  
19 neurology?

20 A. No.

21 Q. Did you still have a -- to your knowledge, a  
22 sales force out there selling pain products?

23 A. Yes.

24 Q. Are you familiar with the product Frova?

25 A. I am.

1 Q. And can you just tell us briefly what Frova  
2 was.

3 A. Frova is a molecule named -- frovatriptan is  
4 the actual chemical. And it's a treatment for  
5 migraine.

6 Q. And did Endo bring Frova to market?

7 A. Yes.

8 Q. Do you recall when Endo brought Frova to  
9 market?

10 A. I don't specifically. It was I believe before  
11 the time I joined the company.

12 Q. So was Endo selling Frova in the 2010 time  
13 frame?

14 A. Yes.

15 Q. And who would be the prescriber audience for  
16 Frova?

17 A. I'm sorry. You said who would then or now?

18 Q. Then, in the 2010 time frame, who would, if  
19 Endo were -- were detailing Frova, who would be the  
20 audience to whom Endo would detail that product?

21 A. So according to the label of the product,  
22 there's a specific set of patients with migraine that  
23 would have been appropriate, and so it would have been  
24 neurologists, primary care physicians, anyone who  
25 would typically see a migraineur. Unfortunately, it's

1 not just a single population of physicians who see  
2 migraineurs.

3 Q. You mentioned primary care physicians.

4 Do they prescribe Frova, to your knowledge?

5 A. To my knowledge.

6 Q. And do you recall whether -- well, strike that.

7 What's the relationship between central nervous  
8 system diseases and neurology?

9 A. Neurology is a subset of central nervous system  
10 diseases.

11 Q. During the time that you were looking at  
12 collaborations, did Endo look at doing collaborations  
13 in the central nervous system area generally?

14 A. It's unfortunately a bit more complicated than  
15 that, but I would say not generally, no.

16 Q. What area -- what therapeutic area did  
17 Parkinson's disease treatments fall into?

18 A. Broadly speaking, movement disorders.

19 Q. And is movement disorders related to either  
20 neurology or CNS?

21 A. Yes. It's a neurologic condition, and that's,  
22 broadly speaking, part of the central nervous system.

23 Q. Did Endo ever pursue any investments or  
24 collaborations in the Parkinson's disease space?

25 A. I'm sorry. Could you define "pursue."

1 Q. Did you, when you were in corporate  
2 development, look into any opportunities -- I'm  
3 setting aside for a minute the one with Impax -- but  
4 other opportunities related to Parkinson's disease?

5 A. Yes. We looked at multiple.

6 Q. Do you recall any in particular that you looked  
7 at?

8 A. Yes.

9 Q. Can you describe ones you looked at?

10 A. Sure.

11 We looked at -- there was a series of  
12 compounds -- and I'll apologize up front. I don't  
13 remember all the names of these. It's been a while.

14 But we looked at -- from an Italian company  
15 called Newron, we looked at a couple of products they  
16 had.

17 We diligenced a Finnish company that actually  
18 had a product with a totally novel mechanism of  
19 action.

20 And there were a couple of others that we  
21 looked at as well. I just don't remember all the  
22 details and names.

23 Q. Now, did there come a point in time where Endo  
24 negotiated a collaboration agreement with Impax related  
25 to a Parkinson's disease treatment?

1 A. Yes.

2 Q. And were you involved in that?

3 A. I was.

4 Q. What was your role in the negotiations or  
5 development of the co-promotion agreement with Impax?

6 A. So I was the head of corporate development, and  
7 so it was my team that did the evaluation, and we had  
8 responsibility at least in part -- no one ever fully  
9 has singular responsibility -- but for negotiating the  
10 deal with Impax.

11 Q. And you mentioned a team.

12 What was your role on that team?

13 A. I was the leader of the team and effectively  
14 the lead scientist.

15 Q. And what was the subject product of the  
16 collaboration between Impax and Endo?

17 A. The deal was done for IPX-203.

18 Q. Did Endo suggest there be any other products,  
19 that any other products be the subject of a  
20 collaboration between Endo and Impax?

21 A. I'm sorry. Could you state that again.

22 Q. Did Endo suggest that Impax and Endo  
23 collaborate on any other products in addition to or  
24 instead of IPX-203?

25 A. Yeah. I'm just -- sorry. I'm just responding

1 to the way the question was phrased, but IPX-066 was  
2 another product that was discussed.

3 Q. Can you describe for us what IPX-066 was?

4 A. It was a well-known combination of drugs,  
5 carbidopa and levodopa, that had been formulated to  
6 extend the release profile or change the kinetic  
7 parameters of the drug.

8 Q. And why was Endo interested in IPX-066?

9 A. It was a drug, as we talked about, that had  
10 possible utility or compatibility with the existing  
11 sales force at the time. It was an area in which the  
12 company had looked for a number of years to find  
13 products.

14 We actually sold as Endo in the past an  
15 immediate-release form of the drug Sinemet, which was  
16 the original formulation of carbidopa and levodopa. It  
17 was in the marketplace. And I personally have comfort  
18 with the area just because I'm quite familiar with  
19 Parkinson's disease.

20 Q. And your familiarity with Parkinson's goes back  
21 to your Ph.D. thesis, if not earlier?

22 A. Yes.

23 Q. Was IPX-066 ultimately part of the agreement  
24 that Impax and Endo entered into?

25 A. No.

1 Q. I want to ask you some questions about  
2 IPX-203, and I want to do them on sort of a high  
3 level. When we get to the specifics about the drug  
4 and the development, we're going to do an in camera  
5 session so that that information can be kept  
6 confidential.

7 But on a general level, can you describe why  
8 Endo was interested in IPX-203?

9 A. Yeah. Similar to what was mentioned a moment  
10 ago, it would have been the perceived compatibility  
11 with the sales call points we had with the pain sales  
12 force.

13 The two underlying molecules, albeit there was  
14 some modification, you know, get too deep into the  
15 technicalities, but carbidopa and levodopa were known  
16 molecules. The data from IPX-066 that we had seen  
17 indicated that the extended-release formulation  
18 conferred a benefit to the product, and so the totality  
19 of it was there was enough reason to believe that there  
20 was potentially a product there.

21 Q. Did you receive information from Impax about  
22 the IPX-203 product concept?

23 A. Yes.

24 Q. Do you recall what format you received that  
25 information in?





1           (The following proceedings were held in  
2 in camera session.)  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25



- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

(End of in camera session.)

- - - - -

1           (The following proceedings continued in  
2 public session.)

3           JUDGE CHAPPELL: Lawman, let them in.

4           THE BAILIFF: Will do.

5           MR. HASSI: Shall I wait, Your Honor?

6           JUDGE CHAPPELL: Wait on the crowds to file  
7 in.

8           MR. HASSI: Okay.

9           (Pause in the proceedings.)

10          JUDGE CHAPPELL: Go ahead.

11          BY MR. HASSI:

12          Q. Sir, in addition to the PowerPoint that we just  
13 looked at, did you receive other -- did Endo receive  
14 other information from Impax about IPX-203?

15          A. I don't remember specifically.

16          Q. Do you recall whether you -- whether Endo  
17 received information about IPX-066?

18          A. Yes. I believe there was a slide deck that we  
19 received for that as well.

20          Q. And was the information relating to  
21 IPX-066 relevant to assessing IPX-203?

22          A. I believe so.

23          Q. And can you explain why?

24          A. Well, IPX-066 and IPX-203 were both to use the  
25 same formulation, that is, the -- the delivery

1 chemistry that was used in 066 was to be used for  
2 IPX-203. Both products contained carbidopa, and so the  
3 only difference would have been, again, as we talked  
4 about, the modification of the levodopa, which we  
5 viewed as being relatively simple, although it does  
6 change the chemistry.

7 Q. I'd like you to look at CX 2772. It's -- if  
8 you want to look at it in paper copy, it's in your  
9 binder at tab 2.

10 And Robert, if you could bring it up on the  
11 screen and if you could blow up the topmost e-mail.

12 Looking at CX 2772, do you recognize this as an  
13 e-mail that you were cc'd on in 2010?

14 A. Yes. My name is on the CC line.

15 Q. And does this e-mail relate to the Endo-Impax  
16 collaboration?

17 A. Yes.

18 Q. And in the body of the -- in the body of the  
19 e-mail, it talks about coordinating with Bob Cobuzzi.

20 That's you; right, sir?

21 A. Yes.

22 Q. And it says, "I believe he is working up an OEW  
23 for IPX-203."

24 Stopping for a second there, what was an OEW at  
25 Endo at this point in time?

1       A. "OEW" stands for opportunity evaluation  
2 worksheet. It was basically a written explanation of  
3 the opportunity that included an assessment of the  
4 science, the potential commercial opportunity and any  
5 financial analyses that were done around it.

6       Q. It goes on to talk about -- it says, again a  
7 reference to you, "will be looking for the valuation  
8 work re financial forecasts."

9               What was the relationship of valuation work and  
10 financial forecasts to the OEW?

11       A. So part of the analysis of any opportunity we  
12 look at is to understand its value to Endo and  
13 specifically the financial value based upon all the  
14 various inputs, the scientific, medical and commercial  
15 inputs, and so this valuation was, if you will, a  
16 mathematical or a financial assessment of that.

17       Q. The next sentence refers to someone named  
18 Julie McHugh, and it says she came back over the  
19 weekend and confirmed that the work that has been done  
20 on IPX-066 would be an appropriate proxy from a  
21 commercial perspective for the economics on IPX-203.

22               Starting with Ms. McHugh, what was her position  
23 at Endo at this point in time?

24       A. She was at that point the chief operating  
25 officer and effectively the head of the commercial

1 business.

2 Q. And how would Ms. McHugh as the head of the  
3 commercial business help you evaluate the  
4 IPX-203 opportunity?

5 A. She would have been ultimately responsible for  
6 the commercial assessment of the product, any product  
7 that we looked at.

8 Q. And what do you understand the reference to  
9 "the work that has been done on IPX-066 would be an  
10 appropriate proxy from a commercial perspective for the  
11 economics on IPX-203"?

12 A. So anytime we do a commercial assessment we  
13 look for a comparable model to use -- in this case it  
14 gets referred to as a proxy -- so that we can make some  
15 estimation as to what we think the performance might  
16 look like in the marketplace from a sales revenue  
17 standpoint.

18 Q. Do you recall how long Endo spent reviewing  
19 information regarding IPX-203?

20 A. Not specifically.

21 Q. Does the time frame that you spent working on  
22 IPX-203 stand out in your mind in any way as being  
23 unusually long, unusually short, anything like that?

24 A. It was short.

25 Q. Was it -- was it unusually short?



1 A. Sorry, but "unusually" is a qualitative  
2 statement.

3 Q. And I apologize.

4 Is there a usual in terms of, when you're doing  
5 a business development deal, how long one of those  
6 deals takes from start to finish?

7 A. No. There's -- there's no usual.

8 Q. In any event, did you feel like Endo had  
9 sufficient time to assess the information it needed  
10 before entering into the development and co-promotion  
11 agreement with Impax?

12 A. Given the availability of the IPX-066 data,  
13 yes.

14 Q. And did you feel like you had sufficient  
15 information to enter into that agreement with Impax?

16 A. Sorry. "Sufficient" is fairly subjective, but  
17 I think we had enough to come to the conclusion and do  
18 the deal given the deal construct that we came up with  
19 in the end.

20 Q. And you just mentioned the deal construct.

21 What do you mean by "the deal construct"?

22 A. So the deal construct in this instance was one  
23 that effectively left the responsibility for developing  
24 the product with Impax, and it was done on the basis of  
25 an upfront payment, and so by the time there was an

1 additional payment that Endo would have to make,  
2 essentially the risk associated with proving the  
3 concept would have been retired at that time, so we  
4 would have been relatively comfortable with the way  
5 that we were able to mitigate our risk just given the  
6 deal construction.

7 Q. Did you come to a conclusion about whether or  
8 not Endo should enter into the development and  
9 co-promotion agreement with Impax?

10 A. Sorry. What do you mean by "you"?

11 Q. You and your -- did you and your team that was  
12 evaluating the opportunity with Impax -- did you  
13 collectively reach a conclusion about whether or not to  
14 enter into the deal?

15 A. We did. We went forward.

16 Q. Let's look at -- did you share that with --  
17 view with anybody at Endo?

18 A. I would have had to have shared it with the  
19 CEO, the CFO and the board of directors.

20 Q. Okay. Let's take a look -- if you could look  
21 at tab 3, it's CX 2748, which is in evidence. There  
22 are portions of this that are in camera. We're only  
23 going to look at the public version, the public  
24 information.

25 And so if you could bring up just the cover

1 e-mail, Robert.

2           And blow up the e-mail on the bottom of the  
3 page.

4           And sir, looking at Exhibit CX 2748, is this an  
5 e-mail you sent to others at Endo?

6       A.   Yes.

7       Q.   Okay.  And what's the subject of your e-mail?

8       A.   So the people on this page would have been the  
9 members of the executive team on the To row, and the  
10 subject is to explain that we were providing a copy of  
11 the OEW, the opportunity evaluation worksheet, that we  
12 talked about a moment ago and asking if there were any  
13 feedback from any of these people with regard to the  
14 opportunity and the -- essentially the evaluation of  
15 the opportunity itself.

16       Q.   And it refers in here to a Project Imperial.

17           Was that a code name that Endo used for the  
18 development and co-promotion agreement opportunity with  
19 Impax?

20       A.   Yes.

21       Q.   Was it normal to use code names when you were  
22 working on a development deal?

23       A.   Yes.

24       Q.   And in your e-mail, on the last sentence of the  
25 first paragraph, you write, "I believe this OEW

1 provides adequate and fair representation of what I  
2 would define as a good deal for Endo."

3           What were you telling the executive team at  
4 Endo by -- in that sentence?

5       A. I was providing my opinion on what I thought  
6 was the outcome of the evaluation.

7       Q. And what was your -- what was your team's  
8 collective opinion on the outcome of the evaluation of  
9 entering into a development and co-promotion agreement  
10 with Impax?

11      A. That it would be a good deal for Endo.

12      Q. Can you describe briefly what the -- what the  
13 OEW is?

14      A. I think similar to what we talked about  
15 before, the OEW is the opportunity evaluation  
16 worksheet. It is a summation of all of the analyses  
17 that have been conducted by the various functions that  
18 have the opportunity to look at whatever it is that's  
19 being looked at. Sorry that sounds vague, but in this  
20 case it would have been IPX-203 and the information  
21 from 066 would have been compiled together, and those  
22 analyses and conclusions are included in the OEW  
23 itself.

24      Q. Was the OEW itself a standard form for  
25 evaluating opportunities?

1 A. At that time, yes.

2 Q. Did Endo prepare an OEW for 066 as well as  
3 203?

4 A. I don't remember specifically.

5 Q. Let's -- let's look at tab 4 in your binder.  
6 This is CX 1007.

7 And it's in evidence. And there are portions  
8 in camera. We're only going to be looking at the cover  
9 e-mail, which is not in camera.

10 And if you could, Robert, blow up the --  
11 thank you.

12 Sir, is this an e-mail that you sent to a group  
13 of individuals at Endo?

14 A. Yes.

15 Q. And can you identify who the people are in the  
16 To line and the CC line? Who are you sending this to?

17 A. So these would be the people that were actually  
18 going to perform the due diligence.

19 Ernest Kopecky was the clinical representative  
20 of the team.

21 Paula Clark would have been the regulatory  
22 representative.

23 Frank Diana was the person with expertise in  
24 formulation, how the drug is put together.

25 And Stephen Bai would have been the person who

1 was responsible for doing what we would call  
2 pharmacokinetic analysis, looking to determine how  
3 readily the product is taken into the blood.

4 Q. How about the individuals on the CC line?  
5 Could you identify them as well.

6 A. So Ivan Gergel would have been the head of R&D  
7 at that time.

8 Kevin Pong -- we spoke of him -- he was the  
9 lead evaluator. He reported to me.

10 And Charles Gombar was the head of project  
11 management for Endo.

12 Q. At the end of the first paragraph of your  
13 e-mail, the last sentence, you write, "As this is an  
14 area we know well as a company both in terms of past  
15 evaluations and by virtue of the fact that we  
16 previously held the rights to IR Sinemet, this should  
17 not be a difficult evaluation."

18 Can you explain what you were telling your team  
19 in that sentence?

20 A. I was telling the team that from my  
21 perspective, I didn't think this was going to be  
22 difficult to evaluate.

23 Q. And why wasn't it going to be difficult to  
24 evaluate?

25 A. We knew the space, we knew the underlying

1 molecules, the carbidopa and levodopa, and we looked  
2 at a number of Parkinson's opportunities in the past,  
3 so we knew the general landscape or the area in which  
4 we were looking at this as a commercial opportunity.

5 Q. Now, you mentioned earlier that ultimately you  
6 would go to the board of Endo with this -- with the OEW  
7 and the information about the deal?

8 A. Yes.

9 Q. Okay. If you'd look at tab 5 of your binder,  
10 it's CX 1209.

11 And this document is in evidence, also  
12 partially in camera. We're only going to work with the  
13 public sections of it.

14 And if we could start by blowing up the e-mail.

15 And Dr. Cobuzzi, is this an e-mail you sent  
16 while at Endo?

17 A. Yes.

18 Q. And who were you sending the e-mail to?

19 A. The people in the To line would have been the  
20 members of the board of directors at that time.

21 Q. And what were you sending to the board of  
22 directors?

23 A. This e-mail indicating that we had completed  
24 the development and co-promote agreement, as it said,  
25 early that morning, which would have been on the

1 8th of June, and there's also included with this an  
2 OEW, the opportunity evaluation worksheet, the summary  
3 of the opportunity of what we looked at.

4 Q. Okay. I want to look at some specific sections  
5 of the OEW.

6 Let's go first to page -3.

7 And the information that's -- the information  
8 that's redacted is the in camera information, so this  
9 is the public version.

10 But if you could take a look at item 3 on this  
11 page, there's a reference to Endo as a company is quite  
12 familiar with Parkinson's disease -- excuse me -- with  
13 the Parkinson's disease area.

14 Can you tell us what you meant by including  
15 that in the OEW?

16 A. It was just to provide context for the  
17 reviewers of this document as to how we would go about  
18 looking at this and the fact that we'd experience in  
19 the past of looking at products within the Parkinson's  
20 disease space.

21 Q. If you would turn to page -7. And I'm using  
22 the -- there are page numbers at the very bottom. I  
23 think in this case they're one off the page numbers of  
24 the document.

25 And I apologize. It actually -- actually



1 starting on page -6, there's a section 7, Scientific  
2 Opportunity Summary. The questions I want to ask you  
3 are on page -7.

4 A. Okay.

5 Q. And do you see at the top of page 7 -- if,  
6 Robert, if you could pull up directly beneath the  
7 redaction -- it says, "Although IPX-203 has not yet  
8 been formulated, Impax has developed and performed  
9 clinical studies on a similar CD-LD formulation which  
10 they have named IPX-066."

11 What were you telling your board there?

12 A. So these words weren't written by me directly;  
13 they were written by the team. But my reading of this  
14 is that it was indicating that even though IPX-203 --  
15 and the word "formulated" isn't quite correct.  
16 Sorry -- but even though it's slightly different, it's  
17 similar to this other product, IPX-066, which contains  
18 carbidopa-levodopa, CD-LD as it says here, in a  
19 formulation which had been developed and for which some  
20 clinical studies had been performed at that time.

21 Q. Just below that there's a section that says  
22 "Path to Approval."

23 What does that section analyze? At a high  
24 level.

25 A. The section itself is supposed to describe the

1 steps that would be required on a standard or  
2 nonstandard development pathway to take it all the way  
3 through the approval process to get the marketing  
4 authorization.

5 Q. And did you believe at this point in time in  
6 presenting this information to your board that there  
7 was a path to approval for IPX-203?

8 A. Yes.

9 Q. And what role does the path to approval play in  
10 Endo's overall assessment of a drug candidate?

11 A. Sorry. It's a broad question.

12 Q. It is.

13 What I'm trying to specifically get at is, is  
14 there regulatory risk in the path to approval?

15 A. Absolutely.

16 Q. Is that any -- is it any different for  
17 IPX-203 than it is for most drug candidates you looked  
18 at?

19 A. That's a --

20 Q. I know it's a broad question.

21 A. Yeah.

22 Q. Did you view the regulatory risk with respect  
23 to -- did you and your team view the regulatory risk  
24 for IPX-203 to present any insurmountable hurdles?

25 MR. LOUGHLIN: Objection, Your Honor. Lack of

1 foundation.

2           We haven't established that this witness was  
3 involved in the regulatory risk or assessing regulatory  
4 risk.

5           MR. HASSI: And Your Honor, this witness has  
6 already testified that he led a team and he identified  
7 the regulatory person on that team.

8           JUDGE CHAPPELL: Do you understand the  
9 question?

10          THE WITNESS: Yes, sir.

11          JUDGE CHAPPELL: Overruled.

12          THE WITNESS: So every drug that is developed  
13 has inherent risk in the development program. Even  
14 drugs that ultimately get commercialized still have  
15 risks.

16          This had a risk profile that we understood,  
17 which I think is the best that we could ask for a drug  
18 in development.

19          BY MR. HASSI:

20          Q. If you could look at the section -- it starts  
21 on page -10 and carries over to the next two pages --  
22 Commercial Opportunity.

23          My specific questions are going to relate to  
24 starting at the bottom of page -11.

25          And if you could bring up the last paragraph on

1 page -11, please, Robert.

2           Do you see here there's a reference to "Market  
3 research provided by Impax is similar to work done  
4 several years ago by Endo in evaluating other  
5 PD-related opportunities"?

6           Had Endo evaluated other Parkinson's disease  
7 opportunities by this point in time in 2010?

8       A.   Yes.

9       Q.   And did that assist you in your evaluation of  
10 IPX-203 as a candidate?

11      A.   Yes.

12      Q.   It goes on to say, "This work indicates that  
13 most physicians who treat PD patients" -- and I -- PD,  
14 do you understand that to be Parkinson's disease?

15      A.   I do.

16      Q.   -- "are generally satisfied by existing  
17 treatment options with two exceptions: 1) existing  
18 treatments do not modify the course of the disease,  
19 they only" -- is it "palliate symptoms"?

20      A.   Yes.

21      Q.   -- "and 2) existing drugs begin to lose  
22 effectiveness within 10 to 15 years after initiation of  
23 therapy due to the development of feedback inhibition  
24 and other biochemical mechanisms that can be  
25 classified loosely as 'resistance.' Other unmet needs

1 include a need for better control of efficacy over  
2 time."

3           Was IPX -- was the hope that IPX-203 address  
4 any of those specific unmet needs?

5       A. The hope is that it would address what's listed  
6 as exception number 2.

7       Q. And can you briefly describe how it would  
8 address exception number 2?

9       A. The biology of the disease isn't extremely  
10 well-characterized. But given experience with  
11 carbidopa and levodopa in the past, the belief was  
12 that if you could improve absorption and extend the  
13 period of time within the body the drug could be  
14 absorbed that you might get more of the drug into the  
15 system and thereby be able to have a more effective  
16 treatment for the product. And the hope is, by doing  
17 that, you could lower the dose. And the more that  
18 you're able to lower the dose or at least maintain a  
19 person on a steady dose over time, the hope was that  
20 that would reduce this loss of effectiveness.

21       Q. If we could go to the next page, -12.

22           And if you could blow up the section Estimation  
23 of Market Opportunity and beneath that.

24           I'm sorry. Actually, if we could go up the  
25 paragraph above that first. Sorry, Robert.

1           It says here, in the second sentence,  
2 "IPX-066 has been developed by Impax to address  
3 physician's desire for a superior long-acting  
4 carbidopa-levodopa product, and IPX-203 represents a  
5 still greater improvement in pharmaceutical profile  
6 with a value proposition that includes faster onset of  
7 action, superior management of motor fluctuations and  
8 convenient oral dosing in a simplified regimen that  
9 could require no more than twice-daily administration,  
10 and in some cases even once-daily administration."

11           Can we start -- can you just explain what  
12 "faster onset of action" means?

13       A.   So it's the time from when the drug is  
14 effectively placed in the mouth by the patient to the  
15 time when the effects are realized.

16       Q.   Is that sometimes referred to as time to on?

17       A.   Time to onset, yes.

18       Q.   And superior management of motor fluctuations,  
19 what does that mean?

20       A.   Parkinson's disease is a movement disorder, so  
21 the fluctuations would be the choreic or sort of  
22 spastic movements or the inability to move, akinesia,  
23 or unintended movements, dyskinesias, so it was an  
24 attempt to try and control some of that.

25       Q.   And then the reference to oral dosing in a

1 simplified regimen, what was the advantage that  
2 IPX-203 could present in that area?

3       A. So some patients who take Parkinson's --  
4 treatments for Parkinson's disease, as their disease  
5 progresses, they have to take the drugs more and more  
6 frequently. The hope was here and what was being  
7 posited by Impax is that the formulation would improve  
8 the duration of time the drug was active in the body,  
9 and so the hope was that they would have to take the  
10 drug less frequently.

11       Q. Is that an advantage if you have to take the  
12 drug less frequently?

13       A. Yes. These are patients who have a difficult  
14 time when the drug is not working even picking up the  
15 pill, so the less frequently you have to go through  
16 that activity, yes, it would be an advantage.

17       Q. If we could move down the page, Robert, to the  
18 Estimation of Market Opportunity.

19               And if you could just summarize for us in a few  
20 words, sir, what this -- what in this section of the  
21 OEW you and your team were telling the board of  
22 directors of Endo.

23       A. This piece that we're looking at here and with  
24 what -- I'm looking at both pages simultaneously -- but  
25 it's an attempt to express to the reader what we saw

1 quantitatively as the opportunity or commercial  
2 opportunity for the product, including giving some of  
3 the high-level assumptions that would have factored  
4 into establishing what we saw as that quantitative  
5 opportunity.

6 Q. I want to go back to page -3.

7 And you mentioned earlier or you described the  
8 deal structure as mitigating the risk to Endo.

9 And if you look at note 6 on page -3, it reads,  
10 "The deal structure acceptably mitigates Endo's  
11 exposure despite the early development stage."

12 Can you explain what you meant by that?

13 A. So the way it's described here is that the  
14 \$10 million upfront to access the technology and  
15 support is one piece of it, but further payment is  
16 contingent upon completion of defined clinical  
17 milestones, which in this case was Phase II studies, so  
18 proof of concept would have been established before  
19 further payments were made.

20 Q. And how does that mitigate the risk to Endo?

21 A. So we know what the cost is up front. Drug  
22 development is extremely expensive.

23 And so we could quantify how much money we  
24 were paying and we weren't having to place any internal  
25 resources. Frankly, in this particular instance, we



1 were using cash as opposed to from an accounting  
2 standpoint using our P&L, our profit and loss  
3 statement, as a way of financing the development to  
4 establishing proof of concept, completion of Phase II.

5 Q. And in terms of that, the cash you were using,  
6 was \$10 million a lot of money to buy into this  
7 opportunity?

8 A. For me it's a lot of money. For the company, I  
9 would say no.

10 Q. And can you explain why it's not, \$10 million  
11 for this opportunity is not a lot of money to Endo,  
12 even if it might be a lot for you or me?

13 A. I think unfortunately it's a relative  
14 statement because it's both how much we pay, which is  
15 reflective of the development cost of the product, but  
16 there's also what gets negotiated with the other side  
17 because in the end it's still a deal, it's not simply  
18 paying for development of the product.

19 Q. So the \$10 million is part of a larger  
20 arrangement between Impax and Endo; is that what you're  
21 saying?

22 A. I'm saying it's negotiated as a deal for  
23 IPX-203, and then as part of that deal, as for any  
24 other in-licensing deal that we would do, it's not an  
25 uncharacteristically large amount of money, no.

1 Q. As you evaluated IPX-203 and in your  
2 preparation with your team of this OEW, did you and  
3 your team reach a reasonable belief that IPX-203 would  
4 accomplish the goals that Impax had set for that drug?

5 A. Sorry. When you say when the -- reach the  
6 goals that Impax had set for the drug, can you --

7 Q. Did you expect the investment that you were  
8 making in this development and co-promotion agreement  
9 to be a successful investment?

10 A. Yes.

11 Q. And what did you conclude about the investment  
12 that you were making? Did it justify this deal?

13 A. Well, within the OEW we would have looked at  
14 the net present value of all the cash paid up front,  
15 the presumed revenues that we would see from this net  
16 any of our costs, and my recollection is it had a very  
17 reasonable rate of return, the IRR for the product.

18 Q. Where would we find that in this OEW?

19 A. Typically it's at the back.

20 Q. If we go to the last page of the document,  
21 -18, at the top of the page, what does that represent?

22 A. So there's a -- there's a verbal explanation of  
23 the table that follows, and the table itself shows the  
24 analyzed DCF, is the discounted cash flow, which would  
25 have been a financial model that was put together. It

1 compares the base, optimistic and conservative cases or  
2 at least components of those cases. It weights them.

3           And then it goes through and it looks at the  
4 co-promote components, so we're not looking at the  
5 totality of all expected sales for the product but just  
6 those components that we would have realized as the  
7 co-promotion partner.

8           And then NPV is the net present value or the  
9 value for the cash spent today and relative to what we  
10 would spend in the future and receive in the future.

11           And then the IRR or the anticipated internal  
12 rate of return, we had a hurdle rate of 10 percent for  
13 the company, so this exceeded the internal hurdle rate  
14 for the company.

15       Q. Is that a good thing to exceed the internal  
16 hurdle rate?

17       A. Yes.

18       Q. Let's go back to the cover e-mail of this, your  
19 e-mail to the board of directors.

20           Is it fair to say that in this e-mail and with  
21 the attached OEW you were recommending that  
22 development and co-promotion agreement as an exciting  
23 opportunity for Endo to your board of directors?

24       A. Yes.

25       Q. And you go on to say -- and this is in the

1 second to last paragraph -- you say "it further builds  
2 out our product pipeline for the future with a drug  
3 candidate that fits with our commercial footprint."

4           What did you mean by that?

5           A. So this was at a time for Endo that there  
6 wasn't a lot in the pipeline itself, meaning there  
7 weren't products that were going to come to market in  
8 the future, and so this provided us something with  
9 future commercial potential, accepting all of the risk  
10 associated with developing any drug, and also that it  
11 was consistent with what we talked about were the  
12 compatible sales footprint with the pain sales force as  
13 it existed at the time.

14          Q. Would you have sent this e-mail to your board  
15 of directors if you didn't believe that the opportunity  
16 of entering into the development and co-promotion  
17 agreement with Impax was justified?

18          A. No.

19          Q. Do you know who Dr. John Geltosky is?

20          A. I know of him. I've had some passing contact  
21 in the past, but I don't know him, no.

22          Q. If I told you he was hired to evaluate the work  
23 that you and your team did on this development and  
24 co-promotion agreement, and let me summarize it by  
25 saying he gave you a failing grade, do you have any

1 reaction to that?

2 A. It's his opinion.

3 Q. Do you agree with him?

4 A. No.

5 Q. Did you feel like you had sufficient  
6 information to evaluate the opportunity with Impax at  
7 the time you evaluated it?

8 A. I'm a scientist. I don't feel as though  
9 there's ever sufficient information, but I think we had  
10 the information we needed or were going in all  
11 likelihood to get at that point.

12 Q. And he described you and your team as flying  
13 blind in conducting any aspect of your diligence on the  
14 DCA.

15 Do you agree with that?

16 A. I think that's his opinion.

17 Q. Since you entered into this development, since  
18 Endo entered into this development and co-promotion  
19 agreement, have you learned of any information that  
20 would have changed your mind about the conclusion that  
21 you made at the time?

22 A. I honestly haven't followed the development  
23 that closely, I moved on, and even in the capacity of  
24 corporate development we weren't responsible for  
25 alliance management or for monitoring the ongoing

1 development of products that we licensed.

2 Q. Does that mean you don't know what the status  
3 of the deal is, the development and co-promotion deal  
4 is today?

5 A. I honestly don't know.

6 Q. And sir, when you analyzed the development and  
7 co-promotion agreement in 2010, did you conclude that  
8 the profit-sharing rights justified the payments Endo  
9 agreed to make under the agreement?

10 A. At that time, given the analysis conducted by  
11 the various parties from Endo that participated in the  
12 analysis, yes.

13 MR. HASSI: Thank you, sir. I have no further  
14 questions at this time.

15 JUDGE CHAPPELL: Any cross?

16 MR. LOUGHLIN: Yes, Your Honor.

17 JUDGE CHAPPELL: Go ahead.

18 MR. LOUGHLIN: Your Honor, I have a binder to  
19 provide the witness. May I approach?

20 JUDGE CHAPPELL: Go ahead.

21 - - - - -

22 CROSS-EXAMINATION

23 BY MR. LOUGHLIN:

24 Q. Good afternoon, Dr. Cobuzzi.

25 A. Good afternoon.

1 Q. Dr. Cobuzzi, there are no other development and  
2 co-promotion agreements in which Endo has made an  
3 upfront payment of \$10 million for a preclinical  
4 product other than IPX-203; correct?

5 A. I don't remember all the details of all the  
6 deals.

7 Q. Do you recall any development and co-promotion  
8 agreement that Endo has entered into and made an  
9 upfront payment of \$10 million other than IPX-203 for a  
10 preclinical product?

11 A. No.

12 Q. Other than IPX-203, you can't think of any deal  
13 where Endo completed due diligence in a matter of days  
14 and made any upfront payment; correct?

15 A. Sorry. Any deal that Endo completed?

16 Q. Yes.

17 A. Completed, no.

18 Q. Now, Dr. Cobuzzi, a large number of deals come  
19 to Endo in any given year; correct?

20 A. Yes.

21 Q. And Endo -- of those potential deals, Endo  
22 enters into a confidentiality agreement with only a  
23 fraction of them; correct?

24 JUDGE CHAPPELL: Hold on a second.

25 I want to make sure that we have the correct

1 testimony. One of his responses doesn't seem to jibe  
2 with what I heard him say earlier today.

3 Can you repeat the question where you started  
4 with "Other than IPX-203"?

5 MR. LOUGHLIN: Sure.

6 JUDGE CHAPPELL: Or do you want Josett to read  
7 it?

8 MR. LOUGHLIN: I'm happy to read it.

9 JUDGE CHAPPELL: Go ahead.

10 BY MR. LOUGHLIN:

11 Q. Other than IPX-203, you can't think of any deal  
12 where Endo completed due diligence in a matter of days  
13 and also made any upfront payment; correct?

14 A. And I answered no, completed. We hadn't  
15 completed any deals in a matter of days and made an  
16 upfront payment. We looked at other deals in very,  
17 very short periods of time, but I don't remember any  
18 being completed.

19 Q. Now, Dr. Cobuzzi, we were talking about the  
20 fact that there are several deals that come to Endo  
21 every year; correct?

22 A. Yes.

23 Q. And of those potential deals, Endo enters into  
24 a confidentiality agreement with only a fraction of  
25 them; correct?



1 A. Of the potential deals, yes.

2 Q. And then of the deals on which Endo enters into  
3 some confidentiality agreement, it conducts further  
4 due diligence on only a fraction of those products;  
5 correct?

6 A. Typically. Yes.

7 Q. And then from there, Endo executes deals on an  
8 even smaller fraction; correct?

9 A. Yes.

10 Q. In other words, Endo doesn't sign a deal on  
11 every opportunity that comes to Endo; correct?

12 A. That's correct.

13 Q. And part of your responsibility when you were  
14 senior vice president for corporate development was to  
15 evaluate potential deals; correct?

16 A. Myself and my team. Yes.

17 Q. And one of your responsibilities was to screen  
18 out those opportunities that came to Endo; correct?

19 A. Correct.

20 Q. And you were trying to determine which deals  
21 fit Endo's strategic objectives, in part; correct?

22 A. In part, correct.

23 Q. And you were trying to figure out which of  
24 those opportunities presented good deal opportunities  
25 for Endo; right?

1 A. Yes.

2 Q. And you didn't -- let me start that over.

3 Endo didn't have unlimited resources to enter  
4 into deals; correct?

5 A. No.

6 Q. And so part of your job was to make choices  
7 about which deals Endo should make; correct?

8 A. Myself and the team. Yes.

9 Q. And if you spent \$10 million on a development  
10 deal, that had to come out of your budget; correct?

11 A. It came out of the company's cash.

12 Q. Do you recall testifying about sort of the  
13 general process of evaluating business development  
14 deals in your direct examination just now?

15 A. The general process, yes.

16 Q. Can I ask you to take a look at CX 1701. It  
17 should be in your binder. And it will also be on the  
18 screen, Dr. Geltosky (sic), if you prefer to look at it  
19 that way.

20 I called you Dr. Geltosky. I meant  
21 Dr. Cobuzzi. I apologize, Dr. Cobuzzi.

22 A. That's okay.

23 I'll read off the screen.

24 MR. LOUGHLIN: Your Honor, I'll just note for  
25 the record that CX 1701 has been admitted as part of

1 JX 2. It is not in camera.

2 BY MR. LOUGHLIN:

3 Q. Now, Dr. Cobuzzi, this is an e-mail from you.

4 Do you see that?

5 A. Yes.

6 Q. And it's dated July 30, 2010.

7 Do you see that?

8 A. I do.

9 Q. So that's a few weeks after the entry of the  
10 development and co-promotion deal with Impax in early  
11 June of 2010; correct?

12 A. The date, yes.

13 Q. And this is a presentation -- well, let me  
14 start that over.

15 If you'd turn to the next page, this is a  
16 presentation by the corporate development group that  
17 you headed; correct?

18 A. I don't have -- it looks familiar, but I don't  
19 have enough context to specifically answer yes.

20 Q. All right. Well, let's turn back to the e-mail  
21 then.

22 A. Sorry. Is this an attachment to the e-mail? I  
23 don't know what tab we're looking at here.

24 Q. We're still looking at the same tab. It should  
25 say "CX 1701."

1 A. Okay.

2 Q. Okay. Do you see in the top e-mail that you  
3 sent on July 30, 2010, you say, "There have been a lot  
4 of questions regarding the Corporate Dev/BD process, so  
5 I have attached the slides I shared again with my  
6 department yesterday regarding organization, alignment,  
7 and roles and responsibilities."

8 Do you see that?

9 A. I do.

10 Q. And Corp Dev/BD, that's corporate  
11 development/business development?

12 A. That's correct.

13 Q. So the next page I believe is the set of slides  
14 that you attached; is that right?

15 A. Okay. Yes.

16 Q. And could I ask you to turn to CX 1701-011.

17 Are you there, Dr. Cobuzzi?

18 A. I am.

19 Q. And up at the top it says "Corporate  
20 Development Process."

21 Do you see that?

22 A. I do.

23 Q. And the first step in the corporate development  
24 process, there's a box that says "Asset  
25 Identification."

1 Do you see that?

2 A. I do.

3 Q. And the objectives there are to establish  
4 metrics and screening criteria based on BU/R&D-defined  
5 strategy.

6 Do you see that?

7 A. Yes.

8 Q. And "BU" stands for business unit?

9 A. That's correct.

10 Q. And asset identification then leads to initial  
11 screening.

12 Do you see that?

13 A. I do.

14 Q. And part of that is to identify, screen and  
15 prioritize assets, according to your key objectives.

16 Do you see that?

17 A. That was the objective.

18 Q. And if you get past the initial screening,  
19 there's a go/no go decision; correct?

20 A. In an ideal state, yes.

21 Q. And if you pass that go/no go decision, you get  
22 to the stage called evaluation; right?

23 A. That was the ideal state. Yes.

24 Q. And next to Evaluation -- and that phase, under  
25 the Key Objectives, it says, "Perform initial

1 evaluation - including high-level market opportunity  
2 assessment."

3 Do you see that?

4 A. Yes.

5 Q. And then it says, underneath it in the next  
6 bullet point, "Work with BU/R&D to gain internal  
7 alignment on strategic fit."

8 Do you see that?

9 A. I do.

10 Q. And after the initial evaluation, there's  
11 another go/no go decision; correct?

12 A. Yes.

13 Q. And presumably if you get past that stage,  
14 then you get to the stage that you entitled  
15 Due Diligence.

16 Do you see that?

17 A. Yes.

18 Q. And next to Due Diligence it describes the key  
19 objectives in the first bullet point as "Complete full  
20 opportunity evaluation - validate evaluation  
21 assumptions."

22 Do you see that?

23 A. I do.

24 Q. And underneath that, it says, "Develop  
25 commercial forecast and R&D plan, costs and timings

1 (including LCM)" and "Identify issues to be addressed  
2 by terms and contract."

3           Are those all objectives of the business  
4 development group in the due diligence phase?

5       A. They are in an ideal state.

6       Q. And then under due Diligence, there's another  
7 Go/No Go box.

8           Do you see that?

9       A. I do.

10       Q. And if you get past that go/no go decision,  
11 you get to negotiation and deal closure, according to  
12 this process that you presented to your team; correct?

13       A. Yes.

14           JUDGE CHAPPELL: Did this document apply in  
15 2010?

16           MR. LOUGHLIN: Yes. This document is dated  
17 July of 2010.

18           BY MR. LOUGHLIN:

19       Q. And next to Negotiation and Deal Closure, the  
20 key objectives are: Define optimal tax, legal and  
21 operating structures.

22           Do you see that?

23       A. I do.

24       Q. Is that something that the corporate  
25 development group would do?

1       A. In conjunction with the tax, legal and  
2 operating teams. Yes.

3       Q. What do you mean by "operating teams"?

4       A. So where it says "operating structure" on  
5 there, we would have worked with the  
6 supply/manufacturing team, we would have worked with  
7 the clinical or other what we would term operating  
8 functions within the business to determine what the  
9 appropriate structure would be.

10      Q. Okay. And then underneath the first bullet  
11 point, the second one says, "Update valuation model."  
12 And then it says, "Negotiate structure, terms and  
13 conditions" and then finally "Obtain deal approval and  
14 communicate closure."

15             Do you see that?

16             Would the corporate development group typically  
17 be the one that's negotiating structure, terms and  
18 conditions?

19      A. In conjunction with the legal team negotiate,  
20 yes, but all the input to structure, terms and  
21 conditions was a broader team of people within the  
22 business.

23      Q. Dr. Cobuzzi, I believe under your examination  
24 with Mr. Hassi you mentioned that the corporate  
25 strategy for Endo was determined by the CEO. Is that



1 correct?

2 A. That's correct.

3 Q. And in 2010, I believe you said that the CEO's  
4 focus was urology, endocrinology and oncology. Is that  
5 right?

6 A. That was his primary focus. Yes.

7 Q. And I want to -- when you say "urology," you  
8 mean U-R-O-L-O-G-Y?

9 A. That's correct.

10 Q. Urology has to do with the urinary tract.

11 A. It does.

12 Q. Okay. Dr. Cobuzzi, you discussed with  
13 Mr. Hassi a few minutes ago some potential  
14 acquisitions or deals that Endo was looking at with  
15 respect to Parkinson's disease drugs. Do you recall  
16 that?

17 A. I do.

18 Q. And you mentioned an Italian company called  
19 Newron; is that right?

20 A. Yes.

21 Q. And I think you said there was a Finnish  
22 company; is that also right?

23 A. That's correct.

24 Q. Endo didn't do either deal with those two  
25 companies, did it?

1 A. No.

2 Q. Do you recall testifying in general about the  
3 strategic fit of IPX-203 to Endo with Mr. Hassi?

4 A. I remember being asked questions. Yes.

5 Q. Could I ask you to look in your binder at  
6 CX 1005.

7 And again, Your Honor, this document has been  
8 admitted as part of JX 2, and it is not in camera.

9 Are you there, Dr. Cobuzzi?

10 A. I am.

11 Q. Now, do you see there is -- up at the top of  
12 CX 1005-001 there's an e-mail from someone named  
13 Vik Seoni to a number of people, including you? Do you  
14 see that?

15 A. Yes.

16 Q. And this e-mail is dated May 30, 2008;  
17 correct?

18 A. Yes.

19 Q. And the message says, "Attached is the final  
20 deck of the Late Stage Opportunities project that LEK  
21 will be discussing with us at noon on Monday."

22 Do you see that?

23 A. I do.

24 Q. LEK was a market and analytics research group;  
25 correct?

1 A. They are.

2 Q. And Endo had used LEK frequently in the past.

3 A. Yes. We have.

4 Q. And you attended this presentation by LEK;  
5 correct?

6 A. I don't remember if I attended.

7 Q. Could I refresh your recollection by showing  
8 you your transcript from 2014?

9 A. Sure.

10 Q. Take a look in your binder. You should see a  
11 tab that says "IH" near the back of the binder.

12 A. Okay.

13 Q. And specifically page 149.

14 A. Okay.

15 Q. And do you see line 6 says, "And what was your  
16 role in this presentation?"

17 And your answer was: "I was one of the people  
18 to whom the presentation was made."

19 Do you see that?

20 A. I do.

21 Q. Does that refresh your recollection that you  
22 attended this presentation?

23 A. It's another three years on. I just don't  
24 remember. Sorry.

25 Q. Okay. Now, Dr. Cobuzzi, Endo paid a couple

1 hundred thousand dollars for this presentation;

2 correct?

3 A. I don't remember how much was paid.

4 Q. Could I refresh your recollection by having you  
5 look at some of your testimony from before?

6 A. Of course.

7 Q. On the same page, the bottom of 149, line 20,  
8 do you see that?

9 It says, "Do you know how much it cost to have  
10 LEK do this sort of research and presentation?

11 "ANSWER: Vaguely.

12 "QUESTION: How much vaguely? I'm just looking  
13 for a ballpark number."

14 And then over on the top of 150, it says, "A  
15 couple hundred thousand dollars."

16 Does that refresh your recollection?

17 A. It does of what's written here. I said it was  
18 vaguely at that time three years ago, yes.

19 Q. Okay. Could I ask you to turn to page  
20 CX 1005-064.

21 A. Sorry. 064?

22 Q. Yes.

23 A. Okay.

24 Q. Do you see at the top it says "Excluded  
25 Pre-Reg/Reg Products: Endo's products, Generics, OTC,

1 and co-promotes"?

2 A. I do.

3 Q. This was a list of products at the  
4 preregistration or registration stage that LEK was  
5 excluding as a product that it was recommending Endo  
6 might be interested in pursuing; right?

7 A. From what's on the page, it's what LEK  
8 recommended, yes.

9 Q. What it recommended in terms of products that  
10 Endo should not bother pursuing; correct?

11 A. Based upon what's here, I don't remember the  
12 context, sorry, no.

13 Q. That's what you understand by "excluded"?

14 A. That's what I understand it was saying, yes.

15 Q. And if you look down, the sixth row under  
16 Generic Name says "carbidopa plus levodopa, Impax."

17 Do you see that?

18 A. I do.

19 Q. And the company with U.S. rights is  
20 Impax Laboratories; correct?

21 A. That's what it says. Yes.

22 Q. And IPX-066 was a carbidopa plus levodopa  
23 product from Impax Laboratories; correct?

24 A. That's correct.

25 Q. And IPX-203 was also a carbidopa plus levodopa

1 product, with the exception of the esterified version  
2 of levodopa; correct?

3 A. With that exception. And a change in  
4 formulation.

5 Q. Now, in CX 105-0064 in that sixth row, do you  
6 see where it says in the final column "LEK Exclusion  
7 Rationale"?

8 Do you see that?

9 A. I do.

10 Q. And it says "Generic."

11 Do you see it?

12 A. I do.

13 Q. In 2010, there were generic versions of  
14 carbidopa plus levodopa on the market; correct?

15 A. Yes.

16 Q. Dr. Cobuzzi, could I ask you to turn in your  
17 binder to CX 1001.

18 And Your Honor, I'll note for the record that  
19 CX 1001 has been admitted as part of JX 2 and it is not  
20 in camera.

21 Dr. Cobuzzi, do you see on the first page of  
22 CX 1001 it says "Corporate Development Update, Endo  
23 Board of Directors Meeting, 24 February 2010"?

24 A. Yes.

25 Q. Are you on the right tab?

1 A. I was on 1011. Sorry. Yes. Fine.

2 Q. Do you have it?

3 A. I do.

4 Q. So this is a presentation to Endo's board of  
5 directors; correct?

6 A. Yes.

7 Q. And that presentation was given on  
8 February 24, 2010; correct?

9 A. That's the date. Yes.

10 Q. And you were involved in making this  
11 presentation to the board of directors as part of the  
12 corporate development group; correct?

13 A. In all likelihood. I don't remember, but  
14 probably, yes.

15 Q. Could I ask you to turn to CX 1001-015 of this  
16 document.

17 A. Okay.

18 Q. And do you see the title is String-of-Pearls  
19 Strategy, Portfolio Build Opportunities?

20 A. Yes.

21 Q. And then pages CX 1001-016 through 25 list a  
22 number of potential products that Endo could acquire to  
23 increase its portfolio of products; correct?

24 A. Correct.

25 Q. And these products were all within Endo's

1 acceptance criteria; is that correct?

2 A. Based upon publicly available information at  
3 that time, yes.

4 Q. And none of the products listed from  
5 CX 1001 through -- 0016 through 25 is a Parkinson's  
6 disease drug; correct?

7 A. I'd have to look through the list.

8 Q. Well, take your time, do that.

9 (Document review.)

10 A. No. You're correct.

11 Q. So in February of 2010 there were no  
12 Parkinson's disease drugs that Endo was actively  
13 pursuing; correct?

14 A. Not that we were actively pursuing, correct.

15 Q. Dr. Cobuzzi, could I ask you to turn to  
16 CX 1002.

17 A. Okay.

18 MR. LOUGHLIN: And Your Honor, I'll note for  
19 the record that CX 1002 has been admitted as part of  
20 JX 2 and is not in camera.

21 BY MR. LOUGHLIN:

22 Q. Dr. Cobuzzi, the first page of CX 1002 says  
23 Corporate Development and Strategy Departmental  
24 Off-Site 7 March 2010."

25 Do you see that?



1 A. I do.

2 Q. This was prepared for an off-site meeting you  
3 had for your corporate development department;  
4 correct?

5 A. That's correct.

6 Q. In March of 2010.

7 A. Yes.

8 Q. Could I ask you to turn to CX 1002-0016.

9 A. Okay.

10 Q. Do you see up at the top it says "TAT Focus  
11 Areas"?

12 A. I do.

13 Q. And "TAT" means therapeutic area team?

14 A. That's correct.

15 Q. And so this lists the therapeutic areas that  
16 were the primary interest for looking for opportunities  
17 for Endo as of March 2010; correct?

18 A. That's correct. The primary areas.

19 Q. And Parkinson's disease is not listed on this  
20 page, is it?

21 A. Not as a primary area. No.

22 Q. Now, Dr. Cobuzzi, you testified earlier today  
23 that with respect to Impax, Endo was initially  
24 discussing a product called IPX-066; correct?

25 A. Yes.

1 Q. And that was a Phase III product; correct?

2 A. Approximately, yes.

3 Q. Now, you personally did not seek out the  
4 opportunity for IPX-066; right?

5 A. I didn't personally seek it out, no.

6 Q. And nobody in the corporate development group  
7 sought out IPX-066; correct?

8 A. No.

9 Q. By "no" you mean correct?

10 A. I do mean yes --

11 Q. Thank you.

12 A. -- you're correct.

13 Q. You were told about IPX-066 by Endo's CFO;  
14 right?

15 A. You're right.

16 Q. And the CFO is the chief financial officer;  
17 correct?

18 A. Yes.

19 Q. And the CFO was not in the commercial group;  
20 correct?

21 A. No. That's correct.

22 Q. And Mr. Levin, who was -- was the CFO at the  
23 time; correct?

24 A. Yes.

25 Q. And he gave you a week to assess IPX-066;

1 correct?

2 A. I don't remember specifically, but it sounds  
3 about right.

4 Q. Now, with respect to IPX-066, Endo hired a  
5 company called Equinox to conduct a sales forecast.

6 Do you recall that?

7 A. I do.

8 Q. Could I ask you to turn to CX 1008 in your  
9 binder.

10 A. Okay.

11 MR. LOUGHLIN: And Your Honor, I'll note for  
12 the record that CX 1008 has been admitted as part of  
13 JX 2 and is not in camera.

14 BY MR. LOUGHLIN:

15 Q. So, now, CX 1008, Dr. Cobuzzi, is a series of  
16 e-mails regarding Project Imperial.

17 Do you see that?

18 A. I do.

19 Q. And I believe you testified on direct  
20 examination that Project Imperial was an internal name  
21 for the Impax projects; correct?

22 A. That's correct.

23 Q. Could I ask you to turn to CX 1008-008. It's  
24 the last page in this exhibit.

25 A. Okay.

1 Q. Now, do you see the top e-mail or the only  
2 e-mail on this page is from someone named Sam Rasty?

3 Do you see that?

4 A. I do.

5 Q. Now, Mr. Rasty -- or is it Dr. Rasty?

6 A. It's Dr. Rasty, yes.

7 Q. Dr. Rasty worked for you in the corporate  
8 development group; correct?

9 A. That's correct.

10 Q. And he says -- and he's e-mailing someone named  
11 David Godolphin, who appears to be at the  
12 Equinox Group; is that right?

13 A. Yes. Correct.

14 Q. And he says, in the second sentence, "We have  
15 an urgent forecasting need for a 505(b)(2) neurology  
16 in-licensing candidate currently in Phase III  
17 development and I'm writing to see if you have any  
18 capacity to provide guidance about the value potential  
19 of the asset based on your prior experience in the area  
20 and some rough market sizing."

21 Do you see that?

22 A. I do.

23 Q. And the in-licensing candidate currently in  
24 Phase III development was a reference to IPX-066;  
25 correct?

1 A. I'd have to find the context, but that sounds  
2 about correct.

3 Q. Well, IPX-203 was not a Phase III product;  
4 right?

5 A. No, it wasn't.

6 Q. In the next sentence, he says, "There is no  
7 time for market research on this as we need the  
8 forecast by Wednesday of next week (that's right, it's  
9 not a typo!!), so this would basically be a guidance  
10 about the range of the value potential as opposed to a  
11 fully vetted sales forecast."

12 Do you see that?

13 A. I do.

14 Q. And Mr. Rasty was relaying to Equinox the  
15 timeline that you were given by Mr. Levin; correct?

16 A. That appears to be the case.

17 Q. Now, you never got a fully vetted sales  
18 forecast for IPX-066; correct?

19 A. I don't remember if we got, but from this it  
20 looks like we didn't even ask for fully vetted sales  
21 forecasts.

22 Q. And Equinox didn't do any work for IPX-203;  
23 correct?

24 A. I don't remember specifically, but that sounds  
25 about correct. I think we relied upon the work that

1 they did for 066.

2 Q. Could I ask you to turn forward in this  
3 document to CX 1008-003.

4 A. Sorry. It's CX 1008?

5 Q. Yeah. -003. It's in the same document. It's  
6 just a few pages earlier than we were looking at.

7 A. Okay.

8 Q. And specifically to the middle e-mail from --  
9 that says "From: Robert Cobuzzi."

10 Do you see that?

11 A. I do.

12 Q. And this is you e-mailing Mr. Godolphin at the  
13 Equinox Group; correct?

14 A. Yes.

15 Q. And that was on Monday, May 24, 2010.

16 Do you see that?

17 A. I do.

18 Q. And in the second sentence, you say, "One  
19 question - do you think it would be possible to provide  
20 an early view as to what the peak sales could be by  
21 tomorrow?"

22 Do you see that?

23 A. I do.

24 Q. And again, you're asking for IPX-066; right?

25 A. Yes.

1 Q. And if you go to the prior -- to the e-mail  
2 that's just above it on CX 1008-002, do you see  
3 Mr. Godolphin's response to you?

4 A. I do.

5 Q. And Mr. Godolphin says to you, "Our best point  
6 estimate of peak U.S. revenue at this time is  
7 \$107 million."

8 Do you see that?

9 A. Yes.

10 Q. That means the highest annual sales amount is  
11 \$107 million; right?

12 A. Yes.

13 Q. And that's Equinox' estimate of the peak sales  
14 of IPX-066 itself, not what Endo's revenues from the --  
15 a co-promotion deal would be; correct?

16 A. I believe that's correct. Yes.

17 Q. And so Endo presumably would have earned some  
18 fraction of that \$107 million, assuming that estimate  
19 was right; correct?

20 A. If it were co-promote.

21 Q. Right.

22 And then do you see Mr. Godolphin makes a few  
23 observations on -- looking back at CX --

24 A. I do.

25 Q. -- 1008-002?

1 Do you see?

2 A. Yes.

3 Q. And the last bullet point says, "The current  
4 market is heavily genericized."

5 Do you see that?

6 A. I do.

7 Q. Do you agree that the Parkinson's disease  
8 market was heavily genericized in 2010?

9 A. I'm not the commercial expert. I would rely  
10 upon what they were telling me.

11 Q. Could I ask you to turn to CX 1007.

12 And Your Honor, I'll note for the record that  
13 CX 1007 has been admitted as part of JX 2. It is  
14 partially in camera, but we are using a redacted  
15 version, and I don't intend to ask about any of the  
16 in camera material.

17 Now, this is a document that you saw when you  
18 were being questioned by Mr. Hassi. Do you recall  
19 that?

20 A. I do.

21 Q. And I believe you identified the To line as  
22 being the individuals that were being designated to  
23 help with the assessment of IPX-066. Is that right?

24 A. It is.

25 Q. And the e-mail is dated May 25, 2010.



1 Do you see that?

2 A. Yes.

3 Q. At 7:39 p.m.?

4 A. Yes.

5 Q. And May 25, 2010 was a Tuesday. Do you recall  
6 that?

7 A. I don't recall what day of the week it was.

8 Q. Okay. Well, let's look -- let's look back at  
9 CX 1008, which we just looked at.

10 Do you have CX 1008 there?

11 A. I do.

12 Q. Do you see the second e-mail from you to  
13 Mark Bradley?

14 A. I do.

15 Q. It says it was sent on Thursday, May 27?

16 A. I see.

17 Q. So that means that May 25 would have been a  
18 Tuesday; correct?

19 A. That's fine. You asked me if I remembered the  
20 day, and I didn't remember the day it was. That's  
21 all.

22 Q. No. I understand. And now I'm asking if  
23 you -- do you agree with me that May 25 would have been  
24 a Tuesday.

25 A. I agree with you, yes.

1 Q. Okay. And in the -- do you see the sentence --  
2 it's the third line that says, "We have very little  
3 time for this evaluation"?

4 A. I do.

5 Q. You say, "We have very little time for this  
6 evaluation - i.e., we need to have a perspective by EOB  
7 this Thursday"; correct?

8 A. That's what it says, yes.

9 Q. So you're giving them two days to do the  
10 evaluation; right?

11 A. That's correct.

12 Q. And "EOB" means end of business on Thursday?

13 A. Correct.

14 Q. That would have been Thursday, May 27;  
15 correct?

16 A. Yes.

17 Q. And in the next paragraph, you say, "Before you  
18 start sending me a lot of disparaging e-mails or  
19 slandering me personally for the condensed timeline for  
20 this review I ask that you speak directly with Ivan for  
21 additional color on what we need."

22 Do you see that?

23 A. I do.

24 Q. You were expecting that the individuals in  
25 your To line would believe they needed more time;

1 correct?

2 A. I believe that they're all scientists and I  
3 believe that as scientists they always want as much  
4 time as they can get, so it's tongue in cheek, yes.

5 Q. Now, Dr. Cobuzzi, could I ask you to turn in  
6 your binder to CX 1208.

7 And Your Honor, I'll note for the record that  
8 CX 1208 has been admitted as part of JX 2 and it is not  
9 in camera.

10 So this is an e-mail from you, dated June 1,  
11 2010.

12 Do you see that?

13 A. I do.

14 Q. And the subject is Imperial OEW.

15 Do you see that?

16 A. I do.

17 Q. And this -- and you're attaching the most  
18 recent version of the Imperial OEW that reflects all  
19 changes received as of last night; correct?

20 A. That's correct.

21 Q. And one of the first people you're sending this  
22 to is Dave Holveck.

23 Mr. Holveck was the CEO at the time; correct?

24 A. That's correct.

25 Q. And then the next person you're sending it to

1 is Alan Levin?

2 A. That's correct.

3 Q. Mr. Levin was the CFO at the time; correct?

4 A. Yes.

5 Q. And if you turn to the second page,

6 CX 1208-002, this is the OEW for IPX-066; correct?

7 A. Yes.

8 Q. As of June 1, 2010, you believed that Endo and

9 Impax were still discussing a deal on IPX-066; right?

10 A. That's correct.

11 Q. You wouldn't have circulated an OEW related to

12 IPX-066 if you knew that the product was no longer

13 under discussion; correct?

14 A. That's correct.

15 Q. Now, looking at CX 1208-002, do you see that

16 this says "Evaluation: Kevin Pong"?

17 A. Yes.

18 Q. Does that mean Dr. Pong prepared the OEW?

19 A. It means he was the primary author. Yes.

20 Q. And you would have reviewed it and edited it;

21 is that right?

22 A. Typically. Yes.

23 Q. Was Dr. Pong the lead evaluator for IPX-066?

24 A. He was, yes.

25 Q. Now, when you're reviewing an OEW, you rely on

1 your colleagues, who are experts in their specific  
2 areas, to make assessments and determine the  
3 appropriate information to go into the OEW; right?

4 A. Where there's time and we don't have that  
5 expertise ourselves, yes.

6 Q. So, for example, you don't consider yourself an  
7 expert in forecasting; correct?

8 A. No, I do not.

9 Q. So you don't generally make an assessment of  
10 forecasting in the OEW.

11 A. No, I do not.

12 Q. Could I ask you to turn to CX 1208-013.

13 A. Okay.

14 Q. Do you see the page is entitled Deal Terms and  
15 Valuation?

16 A. I do.

17 Q. And under Deal Terms do you see it says "Option  
18 fee (upon signing of option agreement): \$10 million"?

19 A. Yes.

20 Q. And then there's a \$5 million milestone  
21 payment?

22 A. I see that.

23 Q. And this again is for IPX-066; right?

24 A. That's correct.

25 Q. Now, you weren't involved in coming up with

1 those deal terms; correct, Dr. Cobuzzi?

2 A. We talked about the valuation before. I would  
3 have had a discussion around these deal terms.

4 Q. Were you helping to develop these particular  
5 deal terms for IPX-066?

6 A. The deal terms, yes.

7 Q. Are you the one who came up with the  
8 \$10 million upfront payment for IPX-066?

9 A. I don't remember who came up with the exact  
10 payment structure for this, no.

11 Q. Now, Dr. Cobuzzi, you're aware that at some  
12 point the discussions between Endo and Impax changed  
13 from IPX-066 to IPX-203; correct?

14 A. That's correct.

15 Q. And I believe as you testified that IPX-203 was  
16 carbidopa plus an esterified version of levodopa.  
17 Right?

18 A. That's correct.

19 Q. So it's a chemically modified version of  
20 levodopa; correct?

21 A. Correct.

22 Q. It's technically a different substance than  
23 levodopa?

24 A. Technically, yes.

25 Q. And that makes it a new chemical entity;

1 right?

2 A. That's correct.

3 Q. And there are a number of different  
4 permutations of ester structures; correct?

5 A. Yes, correct.

6 Q. And Endo, at the time of doing the deal with  
7 Impax, didn't know what ester form Impax would settle  
8 on; correct?

9 A. We didn't, correct.

10 Q. And Endo didn't know whether an ester form of  
11 levodopa would work; correct?

12 A. We didn't have precise data to support that.  
13 No. We had assumptions based upon what was available.

14 Q. And I believe you testified earlier that it's  
15 generally important for a new product to provide some  
16 improvement over existing products. Do you recall  
17 that?

18 A. Yes.

19 Q. You didn't evaluate whether IPX-203 was  
20 actually going to be an improvement over existing  
21 products, did you?

22 A. What do you mean by "evaluate"? That would  
23 imply that we conducted a clinical study and/or some  
24 other study to determine whether it was better.

25 Q. Were you asking me a question? Or was that an

1 answer? I couldn't tell.

2 A. It was a question back to you about your  
3 question to me.

4 Q. Yeah. Okay. Let's answer your question.

5 A. I'm not sure I follow you. I was asking a  
6 question to clarify what you were asking me.

7 Q. Okay. All right. That's fair.

8 You didn't evaluate in terms of conducting a  
9 clinical study or some other study to determine whether  
10 IPX was better or going to be an improvement over  
11 existing products; correct?

12 A. We had to make an assumption it was going to  
13 be better. That was the premise behind doing the deal  
14 and based upon the, call it, target product profile  
15 that was set forth for the product. But there's no way  
16 to evaluate whether it was better until an actual  
17 clinical trial was conducted.

18 Q. And you're aware that Impax never successfully  
19 formulated an esterified version of levodopa plus  
20 carbidopa, aren't you?

21 A. No, I'm not aware. That was a question that  
22 was asked earlier, and I don't stay with these  
23 programs after they're moved forward, so I don't have  
24 any firsthand knowledge of what actually happened.

25 Q. Could I ask you to turn to RX 282 in your



1 binder, sir.

2           Your Honor, this document, RX 282, has been  
3 admitted as part of JX 2. It is partially in camera,  
4 but I'm using a redacted version, and I don't intend to  
5 ask anything about in camera material.

6           Do you have it there, Dr. Cobuzzi?

7           A. I do.

8           Q. Could I ask you to turn to the second page of  
9 this document, RX 282.0002.

10          A. Okay.

11          Q. And do you see the bottom e-mail from  
12 Alan Levin to Chris Mengler, copied to you, dated  
13 June 3, 2010?

14          Do you see that?

15          A. I do.

16          Q. And it says, "Just a quick reminder that  
17 Bob Cobuzzi, Endo's head of business development, is  
18 still looking to speak with one of your R&D colleagues  
19 in order to progress our due diligence efforts on  
20 IPX-066a."

21          Do you see that?

22          A. I do.

23          Q. IPX-066a is what was later called IPX-203;  
24 correct?

25          A. That's correct.

1 Q. Can I ask you to turn back to the first page of  
2 RX 282.

3 A. Okay.

4 Q. Do you see the second e-mail from the bottom,  
5 it's from Michael Nestor to you and others, dated  
6 Friday, June 4 at 9:42?

7 A. I do.

8 Q. And it says, "Bob, Can you send me your contact  
9 information and Suneel Gupta, our CSO on the brand  
10 side, and I will give you a call."

11 Do you see that?

12 A. I do.

13 Q. Did you have a call with Mr. Gupta and  
14 Mr. Nestor on Friday, June 4, 2010?

15 A. I don't remember.

16 Q. Now, the top e-mail on RX 282.0001 is from  
17 Mr. Nestor to you.

18 Do you see that?

19 A. I do.

20 Q. And it's dated June 4, 2010 at 4:41 p.m.?

21 Do you see that?

22 A. Yes.

23 Q. And he is sending you a slide deck on IPX-203;  
24 correct?

25 A. That's correct.

1 Q. Now, June 4, 2010 was the first day that Endo  
2 was able to do due diligence specifically on IPX-203;  
3 right?

4 A. On 203 specifically, yes.

5 Q. Can I ask you to turn to CX 1011.

6 And Your Honor, I'll note for the record that  
7 CX 1011 has been admitted as part of JX 2 and it is not  
8 in camera.

9 Are you ready?

10 A. I am.

11 Q. Okay. This e-mail is from Alan Levin, the CFO  
12 of Endo, to Chris Mengler.

13 Do you see that?

14 A. I do.

15 Q. Do you recall that Mr. Mengler was somebody at  
16 Impax?

17 A. I do.

18 Q. And you're copied on this e-mail.

19 Do you see that?

20 A. Yes, I do.

21 Q. And the e-mail is dated June 2, 2010.

22 A. That's correct.

23 Q. The second paragraph of Mr. Levin's e-mail  
24 says, "As part of the development of the IPX-066a  
25 compound, we would agree to an upfront milestone of

1 \$10 million upon signing and a \$5 million milestone  
2 payment upon successful completion of Phase II."

3 Do you see that?

4 A. I do.

5 Q. Now, again, IPX-066a is what became IPX-203;  
6 correct?

7 A. That's correct.

8 Q. And this deal structure of \$10 million upon  
9 signing and then a \$5 million milestone is the same  
10 that we saw a day earlier in your OEW on IPX-066;  
11 correct?

12 A. That's correct.

13 Q. And this was two days before June 4 when you  
14 got information from Impax on IPX-203; correct?

15 A. That's correct.

16 Q. Do you recall that the final development and  
17 co-promotion agreement between Endo and Impax for  
18 IPX-203 was signed on June 7, 2010?

19 A. Vaguely.

20 Q. Well, do you want to look at it? Would that  
21 help?

22 A. Sure.

23 Q. Okay. Can you look at RX 365 in your binder.

24 A. Okay.

25 MR. LOUGHLIN: And Your Honor, I'll note for

1 the record that RX 365 has been admitted as part of  
2 JX 2 and it is not in camera.

3 BY MR. LOUGHLIN:

4 Q. Dr. Cobuzzi, you're welcome to look at this.  
5 You'll see that this is a final version of the  
6 development and co-promotion agreement, and you can see  
7 the signatures at the back. If you want to take a look  
8 at that, you're welcome to.

9 A. Okay. Yeah, I see it. Thank you.

10 Q. And do you see at the front it says  
11 "Development and Co-Promotion Agreement dated as of  
12 June 7, 2010"?

13 A. I do.

14 Q. And this is three days after Endo first got  
15 materials from Impax on IPX-203; correct?

16 A. That's correct.

17 Q. Dr. Cobuzzi, could I ask you to turn to  
18 CX 3339 in your binder, please.

19 And Your Honor, I'll note for the record that  
20 CX 3339 has been admitted as part of JX 2 and it is not  
21 in camera.

22 And do you see the second e-mail on  
23 CX 3339-001 that's from you, dated Friday,  
24 June 4, 2010, at 11:04 p.m.?

25 A. Yes.

1 Q. And in the second paragraph of your e-mail, you  
2 say, "I will review the information tomorrow afternoon  
3 and begin working on the OEW tomorrow, but given some  
4 of the potential complexities of the ester both in  
5 terms of pharmaceutical development as well as clin  
6 pharm, I really would like to have Frank Diana and  
7 Steve Bai, respectively, review the information and  
8 opine for R&D. We would need opinions by midday  
9 Monday, if possible."

10 Do you see that?

11 A. I do.

12 Q. What is pharmaceutical development?

13 A. It's the ability to take and make a chemical  
14 effectively into a drug, a medicinal, in this case a  
15 pharmaceutical.

16 Q. And what is clin pharm?

17 A. Clinical pharmacology. It's the evaluation of  
18 how the drug behaves when it's taken up in the body and  
19 the evaluation of how the body behaves when it's  
20 exposed to the drug.

21 Q. And then the e-mail is forwarded by Ivan Gergel  
22 to Stephen Bai and Frank Diana.

23 Do you see that?

24 A. I do.

25 Q. And it was forwarded on June 5, 2010 at

1 12:54 p.m.?

2 A. Yes.

3 Q. That would be Saturday, June 5; correct?

4 A. Yes.

5 Q. And the message is: "This is the follow-on to  
6 066. As you can see from Bob's note, there is a very  
7 rapid turnaround (Monday midday)."

8 Do you see that?

9 A. I do.

10 Q. And Monday was June 7; correct?

11 A. That's correct.

12 Q. That was the day the DCA -- excuse me -- the  
13 development and co-promotion agreement was signed;  
14 correct?

15 A. It is.

16 Q. Did you ever get opinions on pharmaceutical  
17 development and clinical pharmacology from Mr. Diana  
18 and Mr. Bai?

19 A. I don't remember.

20 Q. Do you recall discussing with Mr. Hassi  
21 information about risk mitigation that you decided to  
22 undertake with respect to IPX-203?

23 A. I do.

24 Q. Could I ask you to turn to CX 2534 in your  
25 binder.

1 A. Okay.

2 MR. LOUGHLIN: And Your Honor, I'll note for  
3 the record that CX 2534 has been admitted as part of  
4 JX 2 and is not in camera.

5 BY MR. LOUGHLIN:

6 Q. Are you there?

7 A. I am.

8 Q. Okay. Do you see in the bottom e-mail on  
9 CX 2534-001 there's an e-mail from Alan Levin to you?

10 A. I do.

11 Q. Sunday, June 6, do you see that?

12 A. Yes.

13 Q. And he's asking you for input on a potential  
14 argument from Impax, which is that Endo should pay  
15 \$2.5 million if Endo terminates the co-promotion  
16 agreement after NDA acceptance but before FDA approval;  
17 correct?

18 A. That's what it says.

19 Q. In your response in the e-mail above it,  
20 Sunday, June, 6, 2010, the first line says, Alan: I  
21 think your term 'piggy' applies here."

22 Do you see that?

23 A. I do.

24 Q. And in the next paragraph, you say, "Given the  
25 porcine nature of the requests thus far, however, I



1 believe you are correct and they will ask again."

2           And what you propose is that, in return for  
3 this agreement that Impax is asking for, you say,  
4 "Specifically, I would ask them to refund a portion of  
5 our upfront (e.g., 2.5 million) if they cannot develop  
6 a clinically viable product that passes Phase 1 PK  
7 assessment."

8           Do you see that?

9           A. I do.

10          Q. Endo didn't get any sort of term in the  
11 contract allowing for any kind of refund of any portion  
12 of the \$10 million; correct?

13          A. It's a negotiation. I don't believe we got  
14 that, no.

15           MR. LOUGHLIN: Your Honor, at this point I have  
16 one more segment to go and I need to go in camera for  
17 it.

18           JUDGE CHAPPELL: How long do you think this  
19 segment will be?

20           MR. LOUGHLIN: Ten minutes. Maybe less.

21           JUDGE CHAPPELL: Let's take a break before we  
22 do that. We'll come back, finish this witness and  
23 start the next one.

24           We'll reconvene at 4:55.

25           We're in recess.

1 (Recess)

2 JUDGE CHAPPELL: We're back on the record.

3 Do you need an in camera session?

4 MR. LOUGHLIN: Yes, Your Honor.

5 JUDGE CHAPPELL: At this time we're going to go  
6 into in camera session. I need to ask those that are  
7 not subject to the protective order to leave the  
8 courtroom.

9 (Whereupon, the proceedings were held in  
10 in camera session.)

11 - - - - -

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1           (The following proceedings were held in  
2 in camera session.)  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25



- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

(End of in camera session.)

- - - - -

1 (The following proceedings continued in  
2 public session.)

3 - - - - -

4 REDIRECT EXAMINATION (continued)

5 BY MR. HASSI:

6 Q. Sir, you were asked some questions a moment ago  
7 about the OEW evaluating information from Endo's  
8 evaluation of IPX-066 when you moved on to considering  
9 IPX-203. Do you recall those questions?

10 A. I do.

11 Q. Is it unusual, in your experience, to assess  
12 one pharmaceutical aspect -- asset based on information  
13 from another pharmaceutical asset?

14 A. No. It's done all the time.

15 Q. Can you think of an example of a time where  
16 you've done it before?

17 A. Sure.

18 The example I cited earlier was a product  
19 called Belbuca, which is a buprenorphine-containing  
20 product, and buprenorphine has been an approved  
21 product for an extremely long period of time. Whether  
22 it was the clinical pharmacology of the drug or  
23 otherwise, we used that as the basis for both analyzing  
24 whether there would be a market and how it might work  
25 medically, clinically, commercially. Yes.

1 Q. And does having an existing compound like  
2 Belbuca give you an opportunity to assess the product  
3 that you're considering developing?

4 A. Sorry. Can you rephrase the question.

5 Q. Does it provide a -- does the information on an  
6 existing compound provide a benchmark of sorts for you  
7 to use?

8 A. Sure. We would use it as a predicate.

9 Q. And when you use it as a predicate, is that  
10 better than, for example, a new chemical entity where  
11 you don't have a predicate compound to work from?

12 A. It's much easier. Yes.

13 Q. Did having the work that you and your team had  
14 done on IPX-066 here help you evaluate IPX-203?

15 A. Tremendously. Yes.

16 Q. And you evaluated IPX-203 in a couple of days.  
17 Had you spent additional time before that  
18 evaluating -- you and your team spent time evaluating  
19 IPX-066?

20 A. Yes. And we knew Sinemet from all of our prior  
21 evaluations.

22 Q. And with respect to the team that evaluated it,  
23 we've heard a number of names: Stephen Bai,  
24 Frank Diana, Ivan Gergel, Ernest Kopecky, Paula Clark,  
25 Kevin Pong, Charles Gombar, yourself.

1           Were there a number of people evaluating this  
2 product for Endo?

3       A.   There were.

4       Q.   Did you put significant resources into the  
5 evaluation of this product?

6       A.   We put what we would typically put against any  
7 deal that has a short time frame.

8       Q.   You were shown an e-mail in which you referred  
9 to Impax as being piggy and the porcine nature of their  
10 requests.

11           Have you ever been in a negotiation before  
12 where you felt the other side was maybe asking for too  
13 much?

14       A.   Pretty much every negotiation.

15       Q.   You were asked some questions from a slide from  
16 July 2010, so after the deal, but it showed a chart of  
17 the corporate development process. Do you recall that  
18 chart?

19       A.   I do.

20       Q.   Okay. You mentioned in response to several of  
21 Mr. Loughlin's questions about whether you would take  
22 those steps, and you said, We would do so in an ideal  
23 state.

24           Can you describe what you mean by that?

25       A.   Yeah. Unfortunately, there is no ideal state

1 for a deal.

2           Big pharma company might have that opportunity  
3 where everything occurs sequentially. Unfortunately,  
4 the reality of any given deal, which is, environmental  
5 factors, internal factors, there's just so many  
6 different variables that affect a deal that almost  
7 never do you get to follow the perfect sequence for it.  
8 I can't honestly think of any instance where we  
9 followed the perfect sequence.

10       Q. Do you always have enough time to evaluate a  
11 deal?

12       A. Again, you're asking a scientist if they have  
13 enough time and enough information. No, I don't think  
14 there is, but we make the most of what's available to  
15 us.

16       Q. You were asked some questions about the  
17 structure of the deal and the \$10 million upfront  
18 payment. Do you recall those questions?

19       A. I do.

20       Q. How is the risk in the development and  
21 co-promotion agreement allocated as between Impax and  
22 Endo?

23       A. So a couple things.

24           I made reference previously to the fact that we  
25 were using cash and not our P&L. For a company the

1 size of Endo, we're a big company, but we're not so big  
2 that we can loosely use the P&L from an accounting  
3 standpoint. That's in some instances much more  
4 meaningful than the cash, so that's point one.

5           Point two in that is, we weren't responsible  
6 for development and though we did have the ability  
7 through this joint development committee that was  
8 contemplated in the actual agreement to set forth and  
9 agree with Impax the criteria, given the caveats that  
10 were in there.

11           So we weren't going to have to use our P&L, we  
12 did have the ability for input, and basically it wasn't  
13 going to come to further monies having to be spent  
14 until proof of concept was established at the end of  
15 Phase II.

16       Q. And so when you say "proof of concept was  
17 established at the end of Phase II," if Impax failed to  
18 meet proof of concept, would Endo have to make any  
19 further payments?

20       A. There was a possibility it could happen, given  
21 the terms of the agreement, but it was a lower  
22 likelihood, and at the same time, our comfort level  
23 would have come from the fact that Impax themselves  
24 would have had to expend more money.

25       Q. Mr. Loughlin asked you some questions about

1 other deals and the upfronts -- upfront payments made  
2 in those deals.

3           What was different about those deals than this  
4 deal and the upfront payments made in those deals?

5       A. So the other early-discovery deals that we had  
6 done, there were a number of them, but they were in  
7 most instances for either novel targets or they were  
8 what we would have termed at that time to be fast  
9 followers, meaning, either a product had gotten to the  
10 market just recently with a novel target or one was in  
11 development and we knew of it.

12           But the point of the statement is that there  
13 was still a lot of risk inherent in the biology, the  
14 chemistry and other pieces, and we looked at this as  
15 again being carbidopa and levodopa.

16       Q. And with those other deals did Endo take on  
17 some of the development risk?

18       A. We did. We would have had to. Going back to  
19 that notion of expending our P&L, we would have had to  
20 have spent the money ourselves to actually conduct the  
21 development, so that hurt us from an accounting  
22 standpoint as well as from a risk standpoint.

23       Q. And in the deal with Impax, you didn't have any  
24 development risk; is that right?

25       A. Well, the development risk was there, but we

1 paid for it through upfront at least to the point  
2 where Phase II was complete and the milestones were  
3 realized.

4 MR. HASSI: Thank you, Dr. Cobuzzi. I have no  
5 further questions.

6 JUDGE CHAPPELL: Recross?

7 MR. LOUGHLIN: I have a few questions,  
8 Your Honor.

9 JUDGE CHAPPELL: Go ahead.

10 - - - - -

11 RE-CROSS-EXAMINATION

12 BY MR. LOUGHLIN:

13 Q. Dr. Cobuzzi, you were just discussing with  
14 Mr. Hassi situations where, with other products, Endo  
15 made upfront payments for early-stage products. Do you  
16 recall that?

17 A. That's correct.

18 Q. In those situations, Endo took more than a few  
19 days to conduct due diligence; correct?

20 A. It depended on the circumstances.

21 Q. Well, I thought earlier, under my questioning,  
22 you told me that there were no deals where Endo spent  
23 just a few days doing due diligence and paid -- and  
24 made an upfront payment, other than IPX-203; correct?

25 A. No. That's incorrect. You asked me



1 specifically if -- about completed deals, which is very  
2 different from deals that we looked at.

3 Q. Okay. Oh, I see.

4 So there are deals that you looked at but  
5 didn't complete, and so you didn't make any upfront  
6 payments; correct?

7 A. That's correct.

8 Q. Now, you mentioned in your discussion with  
9 Mr. Hassi that there was a short time frame with  
10 respect to IPX-203. Do you recall that?

11 A. I do.

12 Q. The reason there was a short time frame was  
13 that that's what you were given by Mr. Levin; correct?

14 A. That's correct.

15 Q. You're not aware of any reason for that  
16 particularly short time frame, are you?

17 A. I was told there was other work being done,  
18 but I didn't have all the details around it. But  
19 that's typical for a deal. There's a lot of  
20 circumstances.

21 Q. And there were no other competing bidders for  
22 IPX-203, were there?

23 A. I don't know the answer to that.

24 Q. And --

25 MR. HASSI: I think we're beyond the scope

1 here, Your Honor.

2 MR. LOUGHLIN: We're not beyond the scope,  
3 Your Honor. Talked about -- he asked about -- all  
4 about the short time frame for doing this deal. I'm  
5 asking this witness about the reasons for the short  
6 time frame.

7 JUDGE CHAPPELL: I thought you asked him about  
8 the short time frame on direct.

9 MR. LOUGHLIN: I didn't ask him. I did not ask  
10 him about the short time frame on direct, no.  
11 Mr. Hassi just asked about that in his redirect and I'm  
12 following up on it.

13 JUDGE CHAPPELL: The last question was whether  
14 there were competing bidders. He said, "I don't know,"  
15 so that objection has passed.

16 MR. HASSI: Yes, Your Honor.

17 BY MR. LOUGHLIN:

18 Q. The reason there was a short time frame was  
19 that this deal was being done in connection with  
20 settlement negotiations; correct?

21 A. As I understood it, yeah. There was a package  
22 of deals that were being done.

23 Q. And the package was the development and  
24 co-promotion and a settlement agreement; correct?

25 A. I know about the co-promotion agreement. I

1 knew of the settlement agreement. I wasn't privy to  
2 all the reasons why we were doing it. I was given the  
3 time frame.

4 Q. But you know they were being done together;  
5 correct?

6 A. I do.

7 Q. Now, you also mentioned Sinemet in your  
8 discussions with Mr. Hassi a few minutes ago?

9 A. I did.

10 Q. Endo marketed a generic version of Sinemet;  
11 correct?

12 A. My memory is incomplete, but I think actually  
13 Endo, DuPont Endo, which was the predecessor company to  
14 Endo, actually marketed the branded Sinemet when it  
15 first came out and then also sold generic Sinemet  
16 thereafter.

17 Q. But Endo as its own company only sold generic  
18 versions of Sinemet; correct?

19 A. I don't believe so. I believe it was a branded  
20 generic. It still maintained the brand name.

21 Q. What is a branded generic?

22 A. "Branded generic" is a bit of a euphemism, but  
23 it would be a product that would otherwise be  
24 genericized in the marketplace but which still  
25 utilizes the brand name for the product itself, so a

1 typical generic doesn't have a brand name associated  
2 with it.

3 Q. So you believe that Endo was selling Sinemet  
4 under the name Sinemet and not under a generic name?

5 A. Sorry. That's my recollection.

6 Q. Okay. And you discussed with Mr. Hassi a  
7 minute ago the need for IPX-203 to be superior to  
8 Sinemet. Do you recall that?

9 A. I do.

10 Q. If IPX-203 was not superior to IPX-066 or  
11 Sinemet, would that affect the market opportunity for  
12 IPX-203?

13 A. I'm not a commercial expert, but I believe so.

14 Q. Did information about IPX-066 or Sinemet  
15 indicate whether 203 would be better than 066 or  
16 Sinemet?

17 A. I'm sorry. Could you -- you mixed a couple of  
18 things there. Could you repeat the question, please.

19 Q. Sure.

20 Did the information that Endo had about  
21 IPX-066 and about Sinemet -- did that information  
22 indicate to Endo whether IPX-203 would be better than  
23 066 or Sinemet?

24 A. It suggested it should be. 066 was a modified  
25 formulation, so it would change the time frame for

1 absorption of the product itself versus the  
2 immediate-release Sinemet. And then 203 would have --  
3 if all things continued to move forward as planned,  
4 given the modification of the L-dopa component of the  
5 molecule, should have been better again than that was  
6 066, so each should have been incrementally better than  
7 the other.

8 Q. And when you say "should," you mean in theory  
9 based upon what you expected IPX-203 to be; correct?

10 A. That's correct. We had no empiric data.

11 MR. LOUGHLIN: Okay. No further questions,  
12 Your Honor?

13 JUDGE CHAPPELL: Anything further?

14 MR. HASSI: No, Your Honor.

15 JUDGE CHAPPELL: Thank you, sir. You may stand  
16 down.

17 THE WITNESS: Thank you.

18 JUDGE CHAPPELL: Next witness.

19 MR. LOUGHLIN: Your Honor, at this time  
20 complaint counsel will have its rebuttal expert  
21 witness Mr. Hoxie. Do you want him -- you want him to  
22 start now at 5:30?

23 JUDGE CHAPPELL: Yes. That's why I said call  
24 your next witness.

25 MR. LOUGHLIN: All right. I just wanted to

1 make sure.

2 JUDGE CHAPPELL: Do you have a time estimate  
3 for the length of direct you're going to have?

4 MR. LOUGHLIN: About two hours, Your Honor.

5 So at this time, Your Honor, complaint counsel  
6 calls Thomas Hoxie, and my colleague, Lauren Peay, will  
7 handle the examination for complaint counsel.

8 - - - - -

9 Whereupon --

10 THOMAS HOXIE

11 a witness, called for examination, having been first  
12 duly sworn, was examined and testified as follows:

13 MS. PEAY: Good afternoon, Your Honor.

14 And may it please the court.

15 - - - - -

16 DIRECT EXAMINATION

17 BY MS. PEAY:

18 Q. Good afternoon, Mr. Hoxie.

19 Can you please introduce yourself to the court  
20 by stating your full name.

21 A. Yes. My name is Thomas Hoxie, H-O-X-I-E.

22 Q. Mr. Hoxie, as you know, I'm Lauren Peay. I'm  
23 an attorney with -- for complaint counsel.

24 I'm going to be asking you questions about  
25 facts and evidence giving rise to complaint counsel's

1 lawsuit against Impax.

2 Do you understand?

3 A. Yes.

4 Q. Would you please introduce yourself and briefly  
5 explain your background.

6 A. Okay. I'm a patent attorney. My background is  
7 I started off as a scientist. Then I went to law  
8 school. I worked for a while in Baltimore as a  
9 litigator.

10 I then went in-house in the pharmaceutical  
11 industry at Sandoz in Basel, Switzerland, which Sandoz  
12 eventually merged, became Novartis. I was -- came back  
13 to the United States. I was head of Sandoz'  
14 intellectual property for North America and global head  
15 of IP litigation for Novartis, for the Novartis group.

16 I left Novartis in 2004, and since then I've  
17 been working -- I started a firm and I've been working  
18 at my own firm since then. And the firm specializes in  
19 patents in the area of pharmaceuticals, chemicals and  
20 biotechnology.

21 Q. Without getting into the details of your  
22 opinions, please tell us what you're here to testify  
23 about today.

24 A. I'm here to respond to Mr. Figg's report.

25 Q. And Mr. Hoxie, there's a binder of exhibits and

1 a bottle of water on the table next to you. No need to  
2 refer to the binder now, but we may -- I may refer you  
3 to exhibits in the binder during your testimony this  
4 afternoon.

5 A. Okay.

6 Q. Before we get to your opinions, Mr. Hoxie, I'd  
7 like to ask you some more details about your  
8 professional experience, education and training that  
9 qualifies you to reach your opinions in this case.

10 Mr. Hoxie, where are you currently employed?

11 A. Hoxie & Associates LLC.

12 Q. And what is Hoxie & Associates LLC?

13 A. Hoxie & Associates is a boutique law firm. We  
14 do patent preparation, prosecution, opinions,  
15 licensing, some litigation support, all in the area of  
16 patents relating to chemicals and pharmaceuticals.

17 Q. Did Hoxie & Associates LLC go by a different  
18 name in the past?

19 A. Yeah. Initially, the firm -- when I first left  
20 Novartis, the firm was Hoxie & Tso. I had a partner,  
21 partnership dissolved in 2007, and the firm continued  
22 as Hoxie & Associates LLC.

23 Q. What is your position at Hoxie & Associates?

24 A. I'm the owner.

25 We have six attorneys and two patent agents and



1 paralegals and staff. We're located in Millburn,  
2 New Jersey, outside of New York City.

3 Q. How long have you been with your firm?

4 A. When I left Novartis in 2004, so counting the  
5 Hoxie & Tso and Hoxie & Associates time together, about  
6 thirteen years.

7 Q. And Mr. Hoxie, what does your practice at your  
8 firm encompass?

9 A. As I said, my practice encompasses supervising  
10 the attorneys who work for me. Personally, I do a lot  
11 of work in the area of opinions for pharmaceutical  
12 companies.

13 I -- right now on my docket, if I think of  
14 things that I have to do, I'm going to Texas in a  
15 couple of weeks. I'll represent a company in a  
16 court-ordered mediation in a patent infringement case.  
17 I have a couple of opinions due for companies that  
18 are -- that are -- relate to investments in companies  
19 where people want to invest money in companies and want  
20 to know that they have adequate intellectual property  
21 to protect their products.

22 I'm just trying to think what else I have right  
23 now, but that's the sort of work that I do.

24 Q. Does your experience with your law firm relate  
25 to the opinions you intend to give in this case?

1 A. Yes, it does.

2 Q. How does it relate?

3 A. I'm -- I represent companies with respect to  
4 patent -- patent matters in the area of pharmaceuticals  
5 particularly, and so it -- it -- some of the issues --  
6 issues similar to some of the issues that came up in --  
7 came up in the patent litigation in this case are --  
8 are similar to some of the patent -- the issues that  
9 come up in matters that I've handled and issues that  
10 come up in patents that I've drafted and prosecuted, so  
11 I -- it's relevant -- I have I think relevant  
12 experience in that way.

13 Q. Where were you employed prior to founding your  
14 firm?

15 A. I was employed at Novartis, Novartis Group.

16 Q. What is Novartis Group?

17 A. Well, Novartis is a large, Swiss-based  
18 corporation. It's -- it's one of the largest  
19 pharmaceutical companies in the world. It has a large  
20 branded division, Novartis Pharmaceuticals. But it  
21 also has generic divisions which have been  
22 consolidated I think now under the -- under the legacy  
23 name Sandoz, so now it's Sandoz Generics. But  
24 previously that included companies like  
25 Geneva Generics. Lek was one company they acquired.

1 Hexal. Biochemie. Gema. A number of -- there were a  
2 number of generic companies around the world that they  
3 handled.

4           So in working at Novartis I worked both with  
5 the branded pharmaceuticals, so the innovative  
6 pharmaceutical side, and I also worked on the generic  
7 side.

8       Q.   And Mr. Hoxie, how long were you at  
9 Novartis Group?

10      A.   About fourteen years.

11      Q.   And what was the date range?

12      A.   19- -- beginning of 1991 to 2004.

13      Q.   And Mr. Hoxie, did you hold multiple positions  
14 during your time at Novartis?

15      A.   Yes, I did.

16      Q.   What were those positions?

17      A.   Well, I started in Basel, Switzerland as a  
18 patent attorney. After the merger, I came to the U.S.  
19 I became -- I was in charge of the seeds and egg  
20 biotech division, patents for that division. I worked  
21 in Research Triangle Park.

22           Then I came -- in 1999 I came up to New Jersey  
23 and to be in charge of the pharmaceutical patents  
24 group.

25           And in 2000, beginning January 1, 2000, I took

1 over management of the U.S. and North American patent  
2 and trademark operation. And then I -- I got  
3 additional responsibilities.

4 I became global head of intellectual property  
5 litigation for Novartis. And I was also in -- the  
6 deputy -- deputy head of pharmaceutical patents for  
7 Novartis globally. And I was also head of patents for  
8 pharma markets for Novartis globally, which meant I was  
9 responsible for all the agreements and patents relating  
10 to marketed products as opposed to earlier-stage  
11 products.

12 Q. In your first position with Novartis as a  
13 patent attorney, at a high level, what were your  
14 responsibilities?

15 A. I was responsible for preparation and  
16 prosecution of patent applications relating to  
17 pharmaceuticals and in particular therapeutic areas.

18 And I think I was -- I got that job and I  
19 think I was hired because I had background doing  
20 litigation. And at that time, they -- Novartis -- it  
21 was then Sandoz -- Sandoz was involved in some patent  
22 litigation. They hadn't actually been involved in  
23 much patent litigation in the U.S. before, and so I  
24 was sort of -- they wanted to have an American  
25 attorney there in Switzerland to explain this strange

1 process that really baffled all the people in  
2 Switzerland.

3 Q. After you served as patent attorney, you -- I  
4 believe you testified your next position was you were  
5 in charge of the division related to seeds.

6 Can you describe at a high level what your  
7 responsibilities were in that position.

8 A. Yes. I -- I had a -- I had a small group of  
9 patent attorneys. We were five patent attorneys, and I  
10 was head of that group. And that group handled -- did  
11 patents in the area of agricultural biotechnology,  
12 primarily transgenic plants or generically modified  
13 plants. Novartis at that time had an operation -- it's  
14 since been spun off, but at that time they had that  
15 kind of an operation.

16 And the -- they had a lot of -- we did  
17 preparation and prosecution and contract licensing  
18 relating to those products. And there was a huge  
19 amount of litigation with Monsanto and other companies  
20 regarding basic patents on transgenic plants, and so I  
21 was responsible for managing that litigation.

22 Q. And you testified that you next -- your next  
23 role was taking charge of the pharmaceutical patents  
24 group.

25 What were your responsibilities, at a high

1 level, in that role?

2       A. Well, my responsibilities were managing a  
3 group of attorneys that did preparation and prosecution  
4 of patents, reviewing contracts and licenses,  
5 negotiating contracts and licenses relating to patents  
6 in the area of pharmaceuticals, and managing  
7 litigation, again, in the area of brand -- in that case  
8 branded pharmaceuticals.

9       Q. You also held a position as head of  
10 intellectual property for North America; is that  
11 correct?

12       A. That's correct.

13       Q. And what were your responsibilities in that  
14 position?

15       A. So in that capacity I was head of a -- I took  
16 that job in 2000, beginning of 2000, and I was -- I was  
17 in charge of -- we had a group of attorneys in  
18 East Hanover, New Jersey. We had a number of -- some  
19 attorneys in Atlanta, Georgia. We had some attorneys  
20 at certainly one point up -- they moved a large group  
21 up in Boston and a group out in San Diego.

22               And so those attorneys reported to me, and I  
23 sort of was responsible for managing their work, for  
24 reviewing contracts and licenses, at least in major  
25 deals, and, depending on the case, getting personally

1 involved.

2           I was very involved in litigation because that  
3 was a very important -- obviously, that's very  
4 important for a company like Novartis and management --  
5 senior management was very interested in what was going  
6 on with the litigation and wanted to know it was being  
7 managed closely.

8           And so about half of my time in the litigation  
9 side was spent dealing with generic litigation where  
10 Sandoz had -- had launched a generic version of a -- of  
11 a branded -- somebody else's branded drug and then  
12 about half the time on the other side defending  
13 Novartis against generic attacks on its products, so it  
14 was a very interesting job because I got to see both  
15 sides.

16       Q.   In your role as head of intellectual property  
17 for North America at Novartis, did you have any  
18 responsibilities outside of the intellectual property  
19 realm?

20       A.   Yeah.  I was -- I was a senior manager of the  
21 company, so I was on the executive committee of  
22 Novartis Corporation.

23           So the executive committee is the sort of  
24 senior management committee, so we had the CEO of the  
25 company, the general counsel, the head of intellectual

1 property -- that was me -- the head of HR, the head of  
2 finance, all the -- all the functions, so I -- I was on  
3 that. I was on that committee.

4 I was on the portfolio review committee, which  
5 was a committee that reviewed products that were in  
6 development and made determinations whether -- how to  
7 prioritize the development products, made -- was the  
8 committee that made the decision whether or not to  
9 launch products and, you know, just sort of tracked  
10 products that were in development prior to their  
11 commercialization and prioritized and managed those,  
12 those products, at a high level.

13 Q. And the products that the portfolio --  
14 Mr. Hoxie, what types of products did the portfolio  
15 review committee deal with?

16 A. The portfolio review committee that I was on  
17 managed the branded pharmaceutical products.

18 There was also a generic portfolio committee,  
19 and a person who reported to me went to those meetings  
20 and managed those. I sometimes went to those meetings  
21 if it was a particularly critical or important launch  
22 or decision, and we would -- I would go to those  
23 meetings and, you know, maybe present or comment or sit  
24 in or whatever.

25 Q. Mr. Hoxie, I believe you testified you also



1 held a position as head of global IP litigation and  
2 head of patents and global pharma markets at Novartis?

3 A. Yeah. They created that position.

4 Basically, the most important -- the most  
5 important litigation was the United States, so it made  
6 sense to sort of coordinate the litigation in other  
7 countries -- Novartis is a global company, so they had  
8 litigation all over the world -- to make sure the  
9 litigation in all the other countries was coordinated  
10 with the U.S. litigation and also provide a vehicle to  
11 keep management in Switzerland informed of the status  
12 of the patent litigation, so were -- we were very --  
13 both on the generic side and on the branded side, it  
14 was important to make sure that the company as a whole  
15 was taking consistent positions in all of its branded  
16 and generic litigation and in all of the different  
17 countries.

18 And it was also important that management be  
19 apprised of when we had risks of generic competition,  
20 you know, if a -- when -- when it might -- when generic  
21 companies might launch, when -- you know, on the  
22 generic side when we might have opportunities when we  
23 might launch, when we might have, you know,  
24 exclusivities, whether by 180-day exclusivities or  
25 exclusivities for some other reason.

1           And so that involved a lot, lot of traveling,  
2 which is one reason that I wound up starting my own  
3 firm and staying home, but it was a very interesting  
4 job.

5       Q.   And Mr. Hoxie, during your time in Novartis,  
6 what was your involvement in patent litigation?

7       A.   Well, my involvement was basically to identify  
8 litigation risks at the very beginning, obviously.

9           Then if we did get sued or if we decided to sue  
10 somebody, you know, I would select the outside counsel.  
11 I would work with outside counsel in preparing the  
12 case, in providing discovery.

13           I would -- if there were briefs or motions to  
14 be filed or whatever, I'd review those and comment.

15           I'd typically go to the trials if we -- if the  
16 case went to trial.

17           If there were settlement negotiations, I would  
18 normally be the person negotiating. Normally, the way  
19 at least we did it at Novartis -- and I know my  
20 clients -- I have noticed that clients of mine in  
21 private practice also work this way -- typically, the  
22 negotiations would be handled by an in-house attorney  
23 or somebody acting sort of like an in-house attorney,  
24 in other words, not the litigators.

25           Typically, we want the litigators to focus on

1 winning the litigation and not be pulling their punches  
2 and not be tailoring their litigation to what was going  
3 on settling -- on the settlement side. And we would  
4 typically have parallel settlement negotiations, and I  
5 would usually be the person representing Novartis in  
6 those negotiations.

7 Q. During your time at Novartis, do you know how  
8 many patent litigations you were involved in?

9 A. Dozens.

10 Q. And did some of that -- were some of those  
11 patent litigations related to Hatch-Waxman litigation?

12 A. Yes.

13 Q. Do you know how many Hatch-Waxman litigations  
14 you were involved in during your time at Novartis?

15 A. At least a dozen I would say.

16 Q. While at Novartis did you have involvement in  
17 any patent litigations that went to trial?

18 A. Yes. I'm not sure exactly how many. Probably  
19 about a dozen went to trial I guess, some Hatch-Waxman,  
20 some not.

21 Q. And for those --

22 A. I mean in the United States I'm talking about.  
23 I'm not talking about outside the United States.

24 Q. And for those patent litigations that went to  
25 trial, what was your involvement?

1       A. Well, typically, in most cases that went to  
2 trial -- well, in ones where I was personally involved,  
3 I would go to the trial. I would -- in some cases I  
4 was the corporate representative, so I'd sit at the  
5 trial table and try by mind control to convince the  
6 jury to rule our way.

7           I'd -- I'd support -- typically I had a role in  
8 supporting the experts and the technical witnesses,  
9 sort of helping to prepare them, and so forth, and also  
10 sort of keeping the channels open with the other side  
11 for possible settlement discussions.

12           And then I'd also sort of manage the litigation  
13 in the sense of authorizing the outside counsel to make  
14 strategic decisions.

15           Very often, when you go to trial, it's very  
16 important to streamline your case and try to keep it  
17 simple, and that means jettisoning arguments. And  
18 that's not something outside counsel feel that they  
19 have the authority to do, so I'm like okay, it's  
20 perfectly okay to drop that argument, you know, and  
21 not, you know, waste a lot of time, try to keep the  
22 case focused, because typically these -- these patent  
23 cases are on -- most judges at this point put them on a  
24 clock and you don't have a lot of time, you have  
25 40 hours a side or something, so you have to be very

1 focused.

2 Q. Mr. Hoxie, while at Novartis did you have  
3 involvement with negotiating patent licenses?

4 A. Yes, I did.

5 Q. What was that involvement?

6 A. It depended on the context.

7 If it was sort of a pure patent license or like  
8 a settlement agreement in a litigation or a  
9 freedom-to-operate license, I probably would have been  
10 the lead negotiator. In some cases it would be  
11 somebody reporting to me who would be the lead  
12 negotiator, and then I'd review the final product.

13 If it was a license in the context of a deal  
14 that had sort of maybe some non-IP aspects, like maybe  
15 there would be a manufacturing and supply piece of it  
16 and other pieces of it, then I'd be part of a team that  
17 would, you know, work together. And I'd sort of be  
18 responsible for the licensing piece, and somebody else  
19 would be responsible for the manufacturing and somebody  
20 else for the R&D piece, whatever, I mean, however it --  
21 however the agreement was broken up.

22 Q. During your time at Novartis, how many patent  
23 licenses were you involved in negotiating?

24 A. A very large number. Many dozens I would say.

25 Q. And Mr. Hoxie, of the patent litigation -- the

1 patent licenses that were included as part of patent  
2 litigation settlements, were any of those in the  
3 context of a Hatch-Waxman litigation?

4 A. Some of them. Yes.

5 Q. Do you know how many of those were in the  
6 Hatch-Waxman context?

7 A. Yeah. I was trying to think about that  
8 earlier. I think probably about half a dozen.

9 Q. While at Novartis did you have any  
10 responsibilities related to making decisions whether to  
11 launch a new product?

12 A. Yes.

13 Q. What were those responsibilities related to  
14 making decisions whether to launch a new product?

15 A. Well, for -- for -- every -- at least when I  
16 was at Novartis, every product required a  
17 recommendation from the patent department on whether or  
18 not -- whether or not to launch, so the patent  
19 department, so the department I was running, was  
20 responsible for making a recommendation in every single  
21 launch on every single product.

22 There were certain times -- oftentimes the  
23 patent recommendation was simple and uncomplicated.  
24 Sometimes it -- if the situation was more complicated,  
25 particularly obviously when the litigation involved

1 more than that, then, you know, I might make a  
2 presentation to -- to the -- you know, to the board or  
3 to the committee or to the committee in Basel or in the  
4 U.S., people who would -- people who would be making  
5 the decisions.

6 Q. Mr. Hoxie, are you familiar with the concept of  
7 launching a product at risk?

8 A. I'm familiar.

9 Q. How would you define that?

10 A. Well, I mean, in a -- broadly speaking, every  
11 time you launch a product, it's at risk. It's at risk  
12 of all kinds of things. It's at risk of, you know,  
13 that the product will fail or that they'll -- and it's  
14 particularly at risk of patent infringement. And it  
15 costs \$400 or whatever to file a lawsuit, so any time  
16 you launch a product, somebody might sue you.

17 But "at-risk launch" I think as it's been used  
18 in this case and in Mr. Figg's report, which I'm  
19 responding to, particularly relates to a situation of a  
20 generic company launching in the context of  
21 Hatch-Waxman litigation before they have a final  
22 Federal Circuit decision in their favor. That's --  
23 it's specifically that context.

24 Q. And while at Novartis did you have  
25 responsibilities related to making a decision whether

1 to launch a product at risk?

2 A. Yes.

3 Q. Mr. Hoxie, does your experience at Novartis  
4 relate to the opinions you intend to give in this  
5 case?

6 A. Yes.

7 Q. How?

8 A. I think the experience that I had at Novartis  
9 working on, you know, different products on the  
10 branded side and on the generic side and also the sort  
11 of more general business experience and being involved  
12 with the decision-making from a business perspective  
13 gives me some background to interpret the -- the  
14 circumstances, the documents, the -- you know, the  
15 facts, as far as -- as far as I can ascertain them, of  
16 what was going on in 2010 when Impax and Endo entered  
17 into the settlement and license agreement.

18 Q. And Mr. Hoxie, where did you work prior to  
19 Novartis Group?

20 A. You know, prior to the Novartis Group, when I  
21 first graduated law school, I worked for a company  
22 called -- a law firm called Semmes, Bowen & Semmes.  
23 And it was located -- primarily I worked in Baltimore.  
24 I was admitted to practice in Baltimore and in -- in  
25 Maryland and in the District of Columbia, so I did some



1 cases and spent some time in the D.C. office, but  
2 the -- the firm -- most of my time was in the Baltimore  
3 office.

4 Q. And what did your practice consist of while at  
5 that firm?

6 A. It consisted of general litigation. There  
7 were actually a couple of patent -- patent  
8 infringement cases, but also general commercial  
9 litigation, maritime litigation. And I also did some  
10 criminal litigation in pro bono cases and in  
11 Criminal Justice Act Panel cases.

12 Q. Did it include trial work?

13 A. Yes.

14 Q. Does your experience at Semmes, Bowen & Semmes  
15 relate to the opinions you intend to give in this  
16 case?

17 A. Yes. To the extent that, you know, a -- I  
18 did -- I did at one time work as a litigator. I have  
19 tried cases to juries. It was a long time ago, that's  
20 true, but yeah, I think it does -- it does -- it  
21 bears -- it's part -- it's part of the experience that  
22 I bring to the table.

23 Q. Mr. Hoxie, do you have any certifications or  
24 admissions to practice?

25 A. Yes.

1 Q. What are those?

2 A. I'm a registered patent attorney, so admitted  
3 to practice before the U.S. Patent and Trademark  
4 Office.

5 I'm admitted to the bar of Maryland, the  
6 District of Columbia and New Jersey. I'm admitted to  
7 the federal district courts in those jurisdictions as  
8 well.

9 I'm admitted to practice in the Court of  
10 Appeals for the Federal Circuit in the Fourth Circuit,  
11 the U.S. Court of Claims and the Supreme Court.

12 I think that's -- I think that's about  
13 everything.

14 I'm also -- well, was at one -- I mean, at one  
15 time I was admitted to practice in -- in -- as a  
16 solicitor in England and Wales and also -- but that's  
17 not active because I'm -- I don't maintain an office in  
18 England.

19 And I passed the examination to practice as a  
20 patent attorney in -- a European patent attorney, but  
21 again I'm not active, I'm not listed, because I don't  
22 live in Europe and I'm -- and also for the reason in  
23 that case I'm not a European citizen.

24 Q. Do you have any involvement in professional  
25 organizations related to your practice as a patent

1 attorney?

2       A. Yes. I'm involved in, you know, several  
3 professional organizations.

4               Probably the one I'm most consistently -- been  
5 most consistently involved with over the years is the  
6 Association of Corporate Patent Counsel, the ACPC,  
7 which is an organization of chief patent counsel and  
8 former chief patent counsel for large corporations, so  
9 it's a group that meets twice a year and then pretty  
10 much all the chief patent counsel from all the -- all  
11 the major -- all the larger corporations are members of  
12 that organization.

13               So it's a very interesting organization. There  
14 have been presentations, and it gives me an opportunity  
15 to meet with people in the industry and have a sense of  
16 their reactions and, you know, what the feeling is  
17 about legal developments and -- and in the area of  
18 patents.

19               JUDGE CHAPPELL: It's after 6:05. We're going  
20 to call it for today.

21               I would note that's just over 30 minutes of  
22 qualifications. That's enough. You need to get into  
23 opinions tomorrow.

24               MS. PEAY: Yes, Your Honor.

25               JUDGE CHAPPELL: Everybody note, we will start

1 tomorrow not at 9:45, we will start at 10:30 in the  
2 morning, 10:30.

3           We're in recess.

4           (Whereupon, the foregoing hearing was adjourned  
5 at 6:08 p.m.)

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

CERTIFICATE OF REPORTER

I, JOSETT F. WHALEN, do hereby certify that the foregoing proceedings were taken by me in stenotype and thereafter reduced to typewriting under my supervision; that I am neither counsel for, related to, nor employed by any of the parties to the action in which these proceedings were taken; and further, that I am not a relative or employee of any attorney or counsel employed by the parties hereto, nor financially or otherwise interested in the outcome of the action.

s/Josett F. Whalen

JOSETT F. WHALEN

Court Reporter